

IN THE MATTER OF A HEARING BEFORE THE HEARING  
TRIBUNAL OF THE ALBERTA COLLEGE AND ASSOCIATION  
OF CHIROPRACTORS ("ACAC") into the conduct of  
Dr. Curtis Wall, a Regulated Member of ACAC, pursuant  
to the Health Professions Act, R.S.A.2000, c. P-14

---

DISCIPLINARY HEARING

VOLUME 7

VIA VIDEOCONFERENCE

---

Edmonton, Alberta

January 28, 2022

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26

TABLE OF CONTENTS

Description	Page
January 28, 2022 Morning Session	986
Discussion	986
██████████ Sworn, Examined by Mr. Kitchen	1000
(Qualification)	
Mr. ██████████ Cross-examines the Witness	1020
(Qualification)	
Ruling (Qualification)	1024
██████████ Previously sworn, Examined by	1025
Mr. Kitchen	
January 28, 2022 Afternoon Session	1092
██████████ Previously sworn, Examined by	1093
Mr. Kitchen	
Mr. ██████████ Cross-Examines the Witness	1197
Mr. Kitchen Re-examines the Witness	1204
The Tribunal Questions the Witness	1210
Mr. Kitchen Re-examines the Witness	1213
Certificate of Transcript	1217

1 Proceedings taken via Videoconference for The Alberta  
2 College and Association of Chiropractors, Edmonton,  
3 Alberta

4

5 January 28, 2022

Morning Session

6

7 HEARING TRIBUNAL

8

[REDACTED]

Tribunal Chair

9

[REDACTED]

Internal Legal Counsel

10

Dr. [REDACTED]

ACAC Registered Member

11

Dr. [REDACTED]

ACAC Registered Member

12

[REDACTED]

Public Member

13

[REDACTED]

ACAC Hearings Director

14

15 ALBERTA COLLEGE AND ASSOCIATION OF CHIROPRACTORS

16

[REDACTED]

ACAC Legal Counsel

17

18 FOR DR. CURTIS WALL

19

J.S.M. Kitchen

Legal Counsel

20

21

[REDACTED]

CSR (A)

Official Court Reporter

22

23

24

25

26

1 (PROCEEDINGS COMMENCED AT 9:18 AM)

2 THE CHAIR: Good morning, everybody. This  
3 is a continuation of the Hearing Tribunal for Dr. Wall,  
4 and we are back in session today, and I believe we left  
5 off on November 20th with witness testimony with  
6 Mr. Kitchen's witnesses. So that's the point at which  
7 we will pick up again.

8 I believe the transcript indicates that there's a  
9 Dr. [REDACTED] that will be testifying today; is that  
10 correct, Mr. Kitchen?

11 MR. KITCHEN: Correct.

12 THE CHAIR: Okay, just a quick  
13 housekeeping item, I'd ask everybody to mute your cell  
14 phones. And good morning, Mr. [REDACTED] as well.  
15 Perhaps we'll start with you, if you have any comments  
16 you wish to make.

17 Discussion

18 MR. [REDACTED] Yes, thank you, Mr. Chair.  
19 Before we hear Dr. [REDACTED] evidence, I'd like to make  
20 some comments to you and your colleagues regarding  
21 process and scheduling matters. This isn't a  
22 preliminary application in the true sense, but to the  
23 extent you feel comfortable, my client will be asking  
24 for some advice and direction, for lack of a better  
25 phrase, I've advised him of my intention to raise these  
26 matters before the beginning of the hearing -- or

1 Dr. [REDACTED] evidence, and I understand he'll have a  
2 response.

3 Specifically the Complaints Director has asked me  
4 to make comments regarding the scheduling of the  
5 closing argument phase of the hearing and next steps,  
6 and this arises from Ms. [REDACTED] recent emails and  
7 Doodle poll to everyone, attempting to secure April 4  
8 as the date for closing submissions. And the comments  
9 I'm making this morning also arise from the Complaints  
10 Director's ongoing and very serious concerns about the  
11 length of the hearing and the costs that continue to be  
12 incurred, and, as you know, I previously raised this  
13 with the Tribunal when we were objecting to  
14 Mr. [REDACTED] being called as a fourth expert witness.

15 My client was very, very supportive of proceeding  
16 on April 4 with closing submissions, given the  
17 considerable amount of time that has been spent on this  
18 hearing and I think our understanding that perhaps most  
19 people were available that day.

20 And by way of background, and recognizing the  
21 difficulties that can sometimes occur in terms of  
22 scheduling hearing dates and scheduling witnesses, my  
23 client remains concerned about the significant number  
24 of witnesses that Dr. Wall has called in terms of the  
25 lay witnesses and the expert witnesses. As you know,  
26 we've taken the position that the lay witnesses really

1 can't offer anything in terms of this hearing; it's  
2 about Dr. Wall's conduct and his regulator, and we've  
3 also indicated that we felt four experts was  
4 repetitious and was unnecessary.

5 The Complaints Director's concerns also arise from  
6 the number of days that have been scheduled for the  
7 hearing to receive Dr. Wall's evidence, and, in some  
8 cases, days where we haven't been able to utilize the  
9 full day, and that, in turn, has made the hearing that  
10 much longer.

11 So this leads me to my primary point today, and  
12 that is that the Complaints Director, again, is very  
13 strongly of the view that closing submissions should  
14 only need one day. They are a summary of the parties'  
15 positions and evidence, and scheduling closing  
16 submissions for one day should be more than sufficient,  
17 and, more specifically, April 4 should be sufficient in  
18 terms of the amount of time necessary to prepare.  
19 There's a lot of time coming now -- or that will occur  
20 between now and April 4.

21 So, again, my client is prepared to proceed with  
22 closing arguments on April 4, would like that to occur.  
23 I know Mr. Kitchen disagrees with that, but the -- and  
24 he has some comments he'll make, but the Complaints  
25 Director is asking for, again for lack of a better  
26 phrase, some advice and direction from the Tribunal

1 about how we're going to proceed and whether we can  
2 proceed on April 4, all with a view to maximizing the  
3 efficiency of the hearing.

4 I understand again that Mr. Kitchen has some  
5 comments in response.

6 THE CHAIR: Thank you, Mr. [REDACTED]

7 Mr. Kitchen?

8 MR. KITCHEN: Thank you. I have several  
9 comments.

10 We've heard a few times about the costs, and  
11 that's not relevant. I'm sure it is for the Complaints  
12 Director obviously but not for this hearing, not for  
13 the Tribunal. Quite frankly, if he doesn't like his  
14 costs, there's a way to remedy that, right? We don't  
15 have to keep going on this. Nobody is set in stone:  
16 Thou shalt, must continue this hearing. So I don't  
17 understand why we keep hearing that.

18 It's expensive to prosecute members of a  
19 regulatory body when those members put up a legitimate  
20 legal defence. Of course it is; that should come as no  
21 surprise.

22 So I say that because that can't be considered as  
23 a relevant component here. I mean, we could go down  
24 the road on how much Dr. Wall has suffered financially  
25 through all of this, you know, how much his family has  
26 suffered. He's had to hire legal counsel, right?

1 Enormous resources have been spent on his side. I  
2 haven't mentioned that because it's not relevant.

3 So a considerable amount of time, yeah, of course,  
4 of course it does, yes. This is a significant,  
5 significant issue, right? This is a scientific issue,  
6 it's a professional conduct issue, it's a matter of  
7 truth, it's a matter of integrity and professional  
8 regulation, and it's going to take some time. We  
9 haven't been at it for 20 days. It's not unusual for  
10 trials in the court to go for 20 or 40 days. My friend  
11 knows that. I think we've been at it for six or seven  
12 days. My friend took three days with his witnesses. I  
13 tried to utilize time as best I could. That's why I  
14 tried to fit in Mr. [REDACTED] [sic], and then, of course,  
15 we weren't able to continue that. I had witnesses  
16 standing by while we went through all of the Complaints  
17 Director's witnesses. I had no issue with that.

18 So again, it's not -- it's almost as if my  
19 friend's trying to say that Dr. Wall is doing a  
20 filibuster; that's not what's going on, okay? I didn't  
21 call 16 of his patients; he could have, he didn't. You  
22 know, I could call expert witness after expert witness  
23 after expert witness, and I could go, you know, go  
24 through all the more and -- arguments about why each  
25 witness should be allowed in, because there is no rule  
26 of court that applies here that caps the witnesses, but



1 I haven't done that. I've brought in four relevant  
2 witnesses, expert witnesses, and we're getting through  
3 them as fast as we can.

4 There is an enormous amount of evidence though,  
5 nonetheless, as you've seen. That evidence has to be  
6 synthesized, and it has to be discussed in closing  
7 argument. I'm not going to read to you line by line  
8 what Dr. ■ said or what Dr. ■ says today out of  
9 the transcripts, but I'm going to have to go through  
10 the evidence, because the evidence is what matters.  
11 This case is about following the evidence to where it  
12 leads.

13 So -- and I've reviewed the evidence obviously for  
14 today, and there's a large amount of it, and we're not  
15 done yet, and part of the reason I submit there's a lot  
16 of evidence is because Dr. Wall's right, he's  
17 scientifically right, he's professionally right.  
18 That's why there's so much evidence to show that. I'm  
19 not going to ask this Tribunal, at the end of all this,  
20 to rule in his favour on a scant amount of evidence;  
21 I'm going to ask them to rule on his favour on a large  
22 amount of evidence. So I'm going to have to go through  
23 that evidence, and I'm not going to take four days to  
24 do it, but I'm not going to take 4 minutes to do it  
25 either.

26 And then I have to get into the legal argument,

1    which is complex, it's long, and this Tribunal deserves  
2    and Dr. Wall deserves for the Tribunal to hear a full  
3    explanation of how statutory human rights works, of how  
4    the Canadian Charter of Human Rights works, of how it  
5    applies to the College, of how Section 1 works, of how  
6    it's possible to justify these rights infringements. I  
7    have to go through a long list of rights infringements,  
8    because I have to establish that; it's Dr. Wall's  
9    burden.

10       This is not something that's going to be done in a  
11    couple hours. It's going to legitimately take me  
12    several hours to go through this, and then, of course,  
13    you may have questions, and we may have delays, like we  
14    had this morning, we started 20 minutes late. It's  
15    patently unreasonable to say we're going to get through  
16    it in one day.

17       Now, I understand that, you know, the Complaints  
18    Director is not a lawyer; I get that, I get that. But  
19    I think my friend, because my learned friend, because  
20    he is so reasonable, I think he can agree with me, that  
21    we're not going to get through a closing argument in  
22    five or six hours, which is typically what we have in  
23    one day. I could be the entire day before I get  
24    through mine, and then he deserves an opportunity to  
25    respond, and he might have a lot to respond to. Then  
26    I, of course, have an opportunity to rebut, and then we

1 have questions.

2           So it's not unreasonable, in any sense, to say  
3 there's got to be two days, and it's not unreasonable  
4 to say it's got to be two days in a row. We've broken  
5 up the evidence; that's fine. It's not ideal, but  
6 that's fine. But closing argument needs to be two  
7 days, two consecutive days in a row. And it's not fair  
8 to my friend, to be quite frank, if I go the whole day,  
9 and then he has to wait four weeks before he gets to  
10 respond to it because we've split it up.

11           The last thing I'll say is this: My client and I  
12 were available for days in February and March. It just  
13 so happens that the only day when everybody else was  
14 available is April 4th, and there's no option for April  
15 5th, notwithstanding the fact that I have a trial I  
16 have to travel to for April 6th. I would have been  
17 willing to do April 4th and 5th if it had've been  
18 available. If we had've done those two days in a row,  
19 I would have done that, because we might only need a  
20 day-and-a-half, we might get through on the 5th, and  
21 then I could travel that evening. I don't like that,  
22 but I would have been willing to do that, but that  
23 option wasn't even presented --

24 THE CHAIR:                           Mr. Kitchen --

25 MR. KITCHEN:                       -- for whatever reason --

26 THE CHAIR:                       -- I'm --

1 MR. KITCHEN: Go ahead.

2 THE CHAIR: -- committed to another  
3 hearing with another college on the 5th.

4 MR. KITCHEN: No, and there we go. Now we  
5 know -- yeah, I understand that. So I don't -- but I  
6 don't know why it was always ever presented to Dr. Wall  
7 for only one day. I've made my position clear. I've  
8 explained to Ms. [REDACTED] that the defence requires two  
9 days. So I don't know why it was only presented as one  
10 day; it should have been presented as two days, because  
11 that's our position.

12 So I can see why my friend is asking for direction  
13 here, because right now, as it is, we have a problem,  
14 because the Hearings Director is looking for one day  
15 when the defence has made it very clear there needs to  
16 be two days, which is perfectly reasonable, and he has  
17 a right to full answer in defence.

18 So I'm going to keep my calendar as open as I  
19 possibly can. I'm open all through May, I'm open  
20 almost all of June, I'm open all of July, so is my  
21 client. As soon as -- the soonest that everybody else  
22 can get two consecutive days, I'm going to be there,  
23 unless it happens to fall on the one or two days in May  
24 or June or July that I don't have available. So  
25 Dr. Wall is obviously not trying to delay this, okay?

26 I'll remind you that the initial delay was the

1 College's -- I won't say fault -- it was due to the  
2 College, okay? Dr. Wall filed his expert reports in  
3 April 2021, almost a year ago now, and we were gearing  
4 up, ready to go, and the College had to say, No, we're  
5 not ready.

6 And so here we are, you know, over a year later,  
7 after all this happened. That's not on Dr. Wall. He's  
8 keen to see this go through, he's ready to see it go  
9 through, but he has a right to full answer in the  
10 defence, and he's going to assert that, and he's going  
11 to require two days for closing argument. Those are my  
12 submissions.

13 THE CHAIR: I think before we caucus to  
14 consider a response, I will say that I can't speak for  
15 the two regulated members on the Panel, but I can speak  
16 for myself, and I think I can -- it's probably the same  
17 situation for [REDACTED] -- we're under significant demands  
18 these days. I'm booking 10 to 15 days a month for  
19 hearings, so it's difficult to find these periods of  
20 time. I know everybody has demands on their calendar.

21 We all just had a month off at -- some weeks off  
22 at Christmas, but fair enough, Mr. Kitchen, we will --  
23 the Hearing Tribunal will caucus with counsel, and  
24 we'll take a -- and I hate to start doing this, but  
25 we'll take as short a break as possible, we'll be back  
26 in 10 minutes. If not, we'll let [REDACTED] know, and she

1 can advise everybody, and then hopefully we can move  
2 forward. So if you could -- thank you, [REDACTED]  
3 (ADJOURNMENT)

4 THE CHAIR: Well, the Hearing Tribunal and  
5 our counsel have considered the information we were  
6 presented with. I think our conclusion is that  
7 expecting to conclude final arguments and deliberations  
8 on the same day is probably not realistic. We also  
9 need time, and we also do not want a break following  
10 closing arguments until we're able to meet and  
11 deliberate on this matter. So I think it's realistic  
12 to ask for two days and to find two days that are  
13 consecutive. I'm not going to ask people to look at  
14 calenders now. Perhaps we can do that over lunch or at  
15 the end of the day.

16 I think we should get back on track and get this  
17 witness in, but I will say that the Hearing Tribunal  
18 has confirmed that they would be willing to meet on  
19 April 3rd. We're meeting on Saturday, tomorrow, so if  
20 Sunday, April 3rd, is an option, that could be two days  
21 in a row. Otherwise, Ms. [REDACTED] will be back in the  
22 position of asking people if they could -- perhaps  
23 there's been changes to people's calenders, but,  
24 anyway, try and find two consecutive days.

25 It is a big -- I appreciate Mr. Kitchen's  
26 comments, there is a lot of evidence to cover, there's

1 also some complex legal arguments to be made, and I'm  
2 sure Mr. [REDACTED] will have significant submissions to  
3 make as well, so we will try to find two days. I'm not  
4 going to cancel April 4th at the moment until we've  
5 found an option, but we will ask [REDACTED] to focus on  
6 doing that as soon as possible.

7 I understand that there's costs. These hearings  
8 are not cheap. That's the cost of doing justice, and  
9 that will be -- potentially it could be part and parcel  
10 of any final decision on this, but, in any event, we do  
11 not want to be in a position of telling either party,  
12 the College or Dr. Wall, how to present their final  
13 arguments. So we will look for two days. Hopefully  
14 everybody will be able to find something in their  
15 calendar that works without us incurring a further  
16 undue delay.

17 On that note, Mr. [REDACTED]  
18 MR. [REDACTED] Yeah, Mr. Chair, I just had  
19 two comments, and I don't want to belabour this, I,  
20 unfortunately, am out of town for that weekend, so the  
21 3rd would not work for me, and my second thought was I  
22 would suggest that we simply ask Ms. [REDACTED] to send out  
23 a Doodle poll as soon as possible, that we not try not  
24 to compare schedules. I find that sometimes gets a  
25 little cumbersome, as everybody's flipping back and  
26 forth. Perhaps we could ask her to send out a Doodle

1 poll, you know, quite quickly with a two-day block.

2 The other comment I wanted to make was to my  
3 friend, Mr. Kitchen, and it might assist him in terms  
4 of Dr. [REDACTED] I've spoken with my client, and in terms  
5 of the qualification process and your questions,  
6 Mr. Kitchen, for Dr. [REDACTED] my client is prepared,  
7 subject to hearing from you in terms of, you know, the  
8 basis on which you're tendering your expert, my client  
9 is prepared to accept him as an expert witness without  
10 you having to go through, in any kind of detail, his  
11 qualifications, making again the same -- or submitting  
12 the same caveats we have before, that these issues are,  
13 you know, compliance issues and not scientific masking  
14 issues.

15 I don't know if that will assist you, Mr. Kitchen,  
16 or if you want to go through, I'll call it, a typical  
17 qualification process, but it might save you some time.  
18 I anticipate your -- the basis on which you're going to  
19 be tendering your expert witness is going to be, you  
20 know, fairly similar to what you've done before, and  
21 I -- if we can save some time that way, we're prepared  
22 to do that. I'll leave that with you.

23 MR. KITCHEN: Well, thank you, I appreciate  
24 that. I think that is probably an approach that I'll  
25 take for Dr. [REDACTED] tomorrow, and I will send you a  
26 proposed qualification today so that, you know, you



1 have notice about it tomorrow, and you can let me know  
2 if there's any issues.

3 Today I am going to run through qualification with  
4 Dr. [REDACTED] even though I don't anticipate a lot of  
5 objections, and it will be similar to what I've asked  
6 with Dr. [REDACTED] but it's slightly different, and so I am  
7 going to establish the record for that.

8 THE CHAIR: Okay, well, thank you both.  
9 It's 8 minutes to 10, let's just take a quick break,  
10 and then we can plow through until lunch. We'll start  
11 at 10:00 with Dr. [REDACTED] okay?

12 MR. KITCHEN: Ms. [REDACTED] could you just --  
13 because I haven't been able to communicate with  
14 Dr. [REDACTED] Could you just let him know that we're  
15 going to start at 10 so he has a heads-up?

16 MS. [REDACTED] Yes, I can do that for you.

17 MR. KITCHEN: Okay, thank you.

18 THE CHAIR: Thank you. And then, just to  
19 confirm, April 3rd is off the table.

20 (ADJOURNMENT)

21 THE CHAIR: We're back in session. Just  
22 two very quick items before I turn the floor over to  
23 Mr. Kitchen. I wanted to ask, Mr. Kitchen, do you have  
24 any documents that you plan to share with -- today or  
25 table?

26 MR. KITCHEN: No. Dr. [REDACTED] report and

1 his cv are part of the record, so you should have  
2 access to them.

3 THE CHAIR: Okay.

4 MR. KITCHEN: Please let us know if you  
5 don't, and that's all I intend. So I mean that could  
6 change if my friend brings something in, and then I  
7 need to bring something in in -- I don't anticipate  
8 that, but certainly for my direct, no documents.

9 THE CHAIR: Okay. And I just would like  
10 to tell people that during our first break to discuss  
11 your opening comments, one option we did look at very  
12 briefly and discarded was the option of having written  
13 closing arguments, and we decided that that was not an  
14 attractive option for this case, but we did -- we were  
15 trying to look at all options, and that was one that  
16 was brought up.

17 So with that note, I'll ask Mr. Kitchen to call  
18 your witness, and we can continue.

19 MR. KITCHEN: Sure, Ms. [REDACTED] if you could  
20 bring him in, and then we'll -- and then, [REDACTED] if  
21 you can swear him in.

22 (DISCUSSION OFF THE RECORD)

23 [REDACTED] Sworn, Examined by Mr. Kitchen  
24 (Qualification)

25 Q MR. KITCHEN: So, Dr. [REDACTED] just to make  
26 sure that you know where we're going, I'm going to be

1 asking you what we call qualification questions, and  
2 then I'm going to be offering to the Tribunal the  
3 qualification I'm going to qualify you as, they'll make  
4 a ruling on that, my friend will have a chance to give  
5 some comments, and then I'll get into questioning you  
6 on substance, but this shouldn't take too long.

7 So to start with, Dr. [REDACTED] are you a doctor  
8 because you have a Ph.D.?

9 A Yes, that is correct.

10 Q What's your Ph.D. in?

11 A It's -- okay, so my training is -- well, I guess is  
12 to -- for -- to have a full understanding, I have a --  
13 first, I obtained a Bachelor of Science degree in  
14 biomedical sciences, then a Masters of Science degree  
15 in immunology, and then a Ph.D. in immunology, and then  
16 I did a six-year post-doctoral fellowship to become  
17 certified as a viral immunologist, and I now hold, in a  
18 faculty position, as an associate professor of viral  
19 immunology at the University of Guelph.

20 Q Thank you. Your Ph.D., when did you get that and from  
21 what university?

22 A So it was from the University of Guelph, and I guess I  
23 would refer everybody to my cv, I -- it's been so long,  
24 I can't even recall the exact date.

25 Q That's okay. Are you a professor now currently?

26 A Yes, I'm an associate professor.

1           So just so everybody understands what that  
2           entails, the initial appointment for people for  
3           academics in a university setting is as an assistant  
4           professor. And then if we have progressed  
5           satisfactorily in our development as a faculty member,  
6           we then undergo usually about within, on average, about  
7           six years -- no, sorry, five, five to six years after  
8           being appointed as an assistant professor, we have to  
9           be -- we undergo a very rigorous review process where  
10          our performance is assessed independently by at least  
11          three world-renowned experts in the field.

12          And if our progress is deemed to have been  
13          satisfactory, then typically what happens is we are  
14          awarded tenure and promoted to the position of  
15          assistant professor.

16          And then the final stage would be full  
17          professorship, and that usually is about eight years  
18          later with a similar process involved.

19          So right now I am an associate professor of viral  
20          immunology.

21        Q    Thank you. Have you received any awards or  
22              recognitions within the last two years?

23        A    Yes. So you want to just limit it to the last two  
24              specifically --

25        Q    Yes.

26        A    -- or last --

1 Q Otherwise, we'd be here for a while.

2 A Okay. So, yes, so I've won several teaching awards.  
3 So one of the awards that I received was the equivalent  
4 of teacher-of-the-year within my college. It's the  
5 most -- like it's a prestigious award that's awarded  
6 within -- for, you know, the college that I -- for the  
7 college -- among the colleges that I'm involved in  
8 teaching in.

9 And what that entails is -- entails -- so I'm  
10 involved specifically with training or teaching  
11 veterinary students and -- in the field of immunology,  
12 general immunology. And so what happens is that, just  
13 like an M.D. program, it's a four-year -- it's four  
14 years of classes, four-year program.

15 And so for that award, what happens is all of the  
16 students in the second, third, and fourth year of the  
17 program vote on who they felt the top -- who the top  
18 professor is in that program. So that's one of the  
19 awards that I won recently.

20 Also what happens at the end of every academic  
21 year, the -- these professional students then vote on  
22 who they felt the top professor was for that given  
23 academic year, but I received that recognition, and  
24 that's -- so we get voted in basically as an honorary  
25 class president for that class.

26 I also recently received a research award for

1 outstanding research.

2 And I'm just trying to think, I think those are  
3 probably key highlights, you know, to highlight my --  
4 yeah, the fact that I have been objectively assessed in  
5 terms of my teaching ability and research ability and  
6 have been recognized in those ways as being above  
7 average.

8 Q Thank you. Just give me one second, my phone was off,  
9 but my answering machine is on; I'm just going to turn  
10 it off.

11 THE CHAIR: I'll just mention,  
12 Mr. Kitchen, for everybody, Dr. [REDACTED] cv and other  
13 related information is in Folder E, and it's package  
14 number 5.

15 MR. KITCHEN: Yes, thank you.

16 Q MR. KITCHEN: Dr. [REDACTED] have you -- are  
17 you currently performing or overseeing research  
18 projects?

19 A Yes, a large number. So I'm known as what's called a  
20 research-intensive faculty member. So as faculty  
21 members at any university across Canada, our work is  
22 divided into three areas, and we all have -- we  
23 dealt [sic] on to have unique what we call  
24 distributions of effort.

25 So our work is divided among, again, three areas  
26 of focus, one is research, one is teaching, and one is

1 service. And so in my case, my distribution of effort  
2 is divided as such: 65 percent devoted to research, 25  
3 percent devoted to teaching, and 15 percent devoted to  
4 service.

5 And just so there's some perspective with that,  
6 the sort of average dedication to research, like for  
7 the average faculty member across Canada, would be more  
8 in the range of 40 percent. So, therefore, I'm  
9 considered a research-intensive faculty member, and so  
10 that's an emphasis. And as such, I do have a fairly  
11 extensive research program and research team that I  
12 manage.

13 And so right now, active within my lab, there's  
14 sort of three areas of research that I'm focusing on.  
15 I do a lot of basic fundamental viral immunology  
16 research in which we look at the post-immune response  
17 to viruses and, you know, how we protect ourselves from  
18 viruses following infection.

19 And then the -- and then there's two more  
20 translational/applied areas of research. One is -- in  
21 both cases, they're using what we call immunotherapy,  
22 and the most common immunotherapy that I do research on  
23 are vaccines. And -- and for two purposes: So one arm  
24 of this program is focused on trying -- developing  
25 vaccines for the prevention of infectious diseases, and  
26 then the other one is for developing immunotherapies

1       for the treatment of cancers. Similar technologies can  
2       potentially apply to both, certainly scientific, the  
3       principles are fairly -- you know, overlap between the  
4       two. So I have those three areas of research is my  
5       emphasis right now.

6               And I guess I also, for full disclosure, just  
7       because it's probably most relevant to what's being  
8       discussed today, I did receive two grants to support my  
9       research program, infectious diseases, one from the  
10      Ontario Government and one from the Federal Government,  
11      and those are a specifically to conduct pre-clinical  
12      research in the area of SARS-Coronavirus-2 vaccines.

13   Q   Thank you, you've answered some other questions I have.

14               And forgive me if this is not the right way to ask  
15      this, but are you currently a reviewer or an editor of  
16      any academic journals?

17   A   I recently served as the guest editor for a special  
18      issue of a journal for -- and the journal is known as  
19      Vaccines, and that issue is now complete.

20               I do serve -- I'm active as a reviewer for many  
21      scientific journals, so that's a regular part of my  
22      job, and that comes under the service component that I  
23      was talking about. So that service component not only  
24      involves service to my institution, but it involves  
25      service to the -- well, to the public, but especially  
26      service to the larger scientific community.



1           And part of that is I serve as a reviewer on  
2 multiple grant review panels, including grant review  
3 panels for the Federal Government, and our -- that's  
4 our primary source of academic funding in Canada for  
5 medical research. So that organization is known as  
6 C-I-H-R for short or the Canadian Institutes of Health  
7 Research.

8           For that, I have served on multiple committees,  
9 including one that looks at grants that are being  
10 applied for in an area of cancer research, but probably  
11 my most -- definitely my most substantial contributions  
12 to that grant review agency has been serving on their  
13 virology and viral pathogenesis panel. In fact, I am  
14 currently serving a three-year term, invited term, as a  
15 reviewer.

16           And I guess, not that I usually like to tout, you  
17 know, things like accolades and awards, but, again, I  
18 understand that it's important to also -- you're trying  
19 to make considerations in this case about my potential  
20 to serve as an expert witness, so I'd have to point out  
21 that I have received three consecutive citations  
22 from -- and so I guess I forgot to mention this when  
23 you were asking about awards, because this is within  
24 the last two years -- and my service on the  
25 virology/viral pathogenesis panel, in which we  
26 determined which Canadian research -- researchers get

1 funding in that area. I have received three  
2 citations -- consecutive citations from CHR as being  
3 one of their most elite reviewers, which is an award  
4 given after the -- end of review competition, the  
5 chairs of the review panels, and the CHR staff that  
6 attended those panels identify the top 15 percent of  
7 reviewers for that particular review cycle across all  
8 of their panels, and then those top 15 percent receive  
9 these citations and try to set that standard for what  
10 the other reviewers should try and achieve in terms of  
11 the quality of the reviews that they provide.

12 And so as part of my job as well, yes, I routinely  
13 provide reviews, it can be to any scientific journal,  
14 and I do it for a large number of scientific journals.  
15 There's no limitation on that. Any scientific journal,  
16 if they feel that a faculty member anywhere in the  
17 world possesses expertise relevant to what that paper  
18 is about, then they can contact us and ask us if we  
19 would like to review. That's done on a voluntary  
20 basis; we're not required to do it, but it's done on a  
21 voluntary basis. And that is the foundation, the  
22 underpinning of how we establish the most rigorous  
23 scientific data.

24 So the top scientific data in the world of science  
25 is what we refer to as peer-reviewed scientific  
26 publications, and so those are -- that's scientific

1 data that has been compiled into what we call a  
2 manuscript, and that manuscript goes to what we call  
3 peer reviewers, that would be somebody like myself,  
4 who -- and we can have no conflict of interest, no  
5 connection with the authors of that paper. So that's  
6 important to make sure it's fully objective. And  
7 then -- in many phases, it's not even disclosed who  
8 the -- now with a lot of journals, not even disclosed  
9 who the authors are, to ensure that there can be no  
10 biases.

11 And then we give our feedback, either we recommend  
12 that the paper be rejected because the science is not  
13 of a sufficient quality, or we can recommend that it be  
14 accepted with different amounts of revision required to  
15 try and increase the quality of the science. And so,  
16 ultimately, if accepted, that means that -- so what  
17 we're talking about when we're talking about  
18 peer-reviewed scientific literature, that's the process  
19 that's followed. And so, yes, I participate in that  
20 and have done so for a large number of journals, and I  
21 do it on a regular basis and have throughout the  
22 duration of my independent academic career.

23 Q Thank you. When you do your research, you obviously do  
24 a lot of it, do you sometimes work with other  
25 scientists?

26 A Yes. Yes, my research team is highly collaborative.

1 So, again, if anybody would like to refer to my cv,  
2 you'll find that -- so the way authorship works in --  
3 certainly in the area that I work in and so the  
4 academic realm, there is typically -- and it varies  
5 from research area to research area, there's sort of  
6 different conventions in the authorship of what  
7 typically happens. When you're looking at these  
8 papers, you'll often see a large number of names  
9 listed, and so those are all the people who contributed  
10 in some way to the sciences in that manuscript.

11 And the names that are at the beginning -- so this  
12 is the case for sure with all of my citations, the way  
13 it works, all the names at the beginning are typically  
14 the trainees that did most of the hands-on laboratory  
15 work, and then the names that are in the latter half of  
16 the authorship are what we call the senior authors.  
17 They're the ones that got the funding for the research,  
18 that often design the research project, and they  
19 oversee the management of the trainees that are working  
20 on that and provide feedback and troubleshooting,  
21 et cetera.

22 So -- and so when you're looking at sort of the  
23 level of collaborative-ness, you want to know who the  
24 senior authors are. And one of the -- and immediate  
25 ways to identify that is -- I mean, so, obviously, when  
26 I'm publishing something, my trainees are readily

1     identifiable typically because they're going to be from  
2     my institution. Although with that said, I have many  
3     trainees actually who have collaborated with mine from  
4     other institutions.

5             But so when you look at that latter part of the  
6     list, when you see people, especially from other  
7     institutions -- and I mean if there are any other  
8     faculty members as senior scientists, those are  
9     collaborators, official collaborators.

10            And so, yes, I've collaborated extensively.  
11     There's no way I could go through all of them, but I  
12     collaborate with researchers from around the world. I  
13     guess I can give you an example. So, for example, with  
14     a recent publication that we had on SARS-Coronavirus-2  
15     vaccines, for example, that was a strategic  
16     collaboration with the National Microbiology  
17     Laboratory, which is part of the Public Health Agency  
18     of Canada, where they conducted part of our research.  
19     There were three separate research groups at the  
20     University of Guelph where -- that we came together  
21     strategically to do this work. So that's one type of  
22     example. So, yes, so I've collaborated with scientists  
23     in the Government and lots of scientists from other  
24     academic institutions, including others around the  
25     world.

26            So, yeah, my research team is highly

1 collaborative, so every one of my publications  
2 represents some type of formal scientific  
3 collaboration.

4 Q Thank you. Have you published any peer-reviewed  
5 articles or any other type of publications in the last  
6 two years either on your own or collaboratively with  
7 others?

8 A Yes. So I'm actually quite proud of that fact  
9 honestly, and this is why: So just to understand the  
10 setting, what happens is because of the lockdowns  
11 related to COVID-19 policy, a lot of research programs  
12 had to shut down and for substantial periods of time.  
13 And, indeed, my research was declared nonessential, and  
14 so the worst shutdown that we were facing originally  
15 was a -- it turned out to be six months of interruption  
16 to research, really nonessential research.

17 However, again, like I mentioned because I do --  
18 because -- so this problem of COVID-19, specifically  
19 SARS-Coronavirus-2, the virus that causes COVID-19,  
20 because that's in my area of expertise and so many of  
21 the -- so much of the research and research tools that  
22 I work with were applicable, my group pivoted very  
23 rapidly to focus on COVID research, and like I said, we  
24 were successful in getting grants available to pursue  
25 that.

26 So we have continued our cancer research, we've

1 continued our basic virology research throughout this,  
2 but those two aspects have -- you know, we have  
3 experienced substantial interruptions to those  
4 components and -- but we focused our efforts on  
5 infectious diseases on the SARS-Coronavirus-2.

6 And so as a consequence, in fact, the last two  
7 years, remarkably despite that -- those, you know,  
8 impediments to research, the last two years have  
9 actually been my most productive in terms of  
10 publications. I -- again, you'd have to look at my cv  
11 to get the exact number. I -- what I can tell you,  
12 yeah, well -- oh, yeah, so, actually, I do have a  
13 fairly accurately grasp. We actually have so many  
14 papers that are currently under review that have been  
15 submitted that, you know --

16 What I can say for sure is that by the end -- by  
17 Christmas of last year, over the last two years, I had  
18 published 29 paper -- 29 peer-reviewed, scientific  
19 papers in scientific journals that are indexed in all  
20 the common databases and -- so 29 publications. And  
21 since then, I have had two or three more published. I  
22 have had two more accepted, and I have two or three  
23 more that are currently under review.

24 So, yeah, so it's been quite productive, and so  
25 the reality is -- so, for example, my institution,  
26 again, that has garnered attention because the average

1 publication record for faculty, in fact, dropped off  
2 substantially, to the point -- in fact, I should point  
3 out -- we actually normally have a performance review  
4 every two years, and because of this impact, our  
5 actual -- first performance review was supposed to  
6 occur very early on during the declared pandemic but  
7 was cancelled because of this impact at that time. And  
8 then we were supposed to have our last review very  
9 recently because this has been going on for two years  
10 now, and that's been cancelled.

11         So the next time we're going to have a review  
12 actually is going to have been -- at this point, it's  
13 going to have been a six-year gap, and that is to  
14 recognize the fact that it was unfair to evaluate the  
15 performance of faculty members who had had such massive  
16 interruptions to their research programs and their  
17 ability to be productive.

18         So, in fact, you can't expect the review  
19 committees to review six years of progress from every  
20 faculty member, so what's happening -- so, in fact,  
21 it's just been assumed that everybody -- at my  
22 institution, that everybody has performed reasonably  
23 well, because it actually gets linked to pay bonuses at  
24 the end of that two-year period, and so everybody will  
25 get the same pay bonus. And then when we have our next  
26 review, which will have been a six-year gap, it will --



1       we'll be starting from scratch again in terms of a  
2       review.

3               So, yeah, that's where I'm at with the publication  
4       record that I am particularly proud of, that my  
5       research team has been so incredibly productive  
6       throughout all of this, so that's kudos to them.

7   Q   Thank you.  And just to clarify some of those  
8       publications have been related to SARS-CoV-2 and/or  
9       COVID-19?

10  A   Yes, that's true, yes, we have several peer-reviewed  
11       publications dealing with SARS-Coronavirus-2.

12  Q   Have you been an expert witness in legal proceedings  
13       before today?

14  A   I have.  So, yeah, to disclose my involvement with  
15       those, I was in one that was ultimately not heard -- I  
16       was -- I -- so -- and the first one that I was involved  
17       with related to Corona -- SARS-Coronavirus-2.  I served  
18       as an expert witness, was involved with various aspects  
19       of that case for many months leading up to it.  I was  
20       cross-examined for 5 hours and 15 minutes for that  
21       case, but, ultimately, that case was thrown out.  So  
22       I'm not a legal expert, but my understanding,  
23       therefore, is that I was not officially qualified as an  
24       expert in that case because the case ultimately was not  
25       heard, and my understanding is that's a requirement to  
26       be considered qualified, but I served as an expert

1 witness in that case.

2 I have -- I've served in an unofficial capacity  
3 for hearings that were run like court hearings for --  
4 the most recent one was for a physician in Ottawa, an  
5 ear, nose, and throat specialist, who was -- and this  
6 was due to the vaccine mandates and whether or not  
7 they're privileged to serve into hospitals in Ottawa  
8 should be taken away because of not accepting, you  
9 know, the two jabs in that case, but that was not an  
10 official court proceeding, but it was run by lawyers.

11 And then I was also involved in a court case  
12 dealing with vaccine mandates that were -- that was --  
13 this was for hospital workers in Toronto, and now that  
14 one is more complicated honestly. Again, I don't have  
15 the legal expertise, but it was my understanding and  
16 the understanding of the legal team that had recruited  
17 me to provide expert evidence to the people hearing the  
18 case that I had to qualify as an expert.

19 What I can tell you is that the -- one of the two  
20 experts on the -- serving on the other side, they  
21 were -- one was dismissed before the court hearing,  
22 their expert report, and then the other one was  
23 dismissed during the court hearing. Mine was  
24 discussed, and the lawyers accepted my expertise, and  
25 my report, my understanding was, had been admitted into  
26 court. There was a court hearing. My report was

1 discussed.

2 But then in the final report, what confused  
3 everybody is a -- the ruling ultimately was -- left  
4 only my report on the table, because the other two had  
5 been removed, and so, ultimately, the ruling was based  
6 on wording that the lawyers had used to, I guess,  
7 develop their case and not on the expert evidence. So  
8 the expert evidence ultimately was not considered in  
9 the ruling.

10 So, again -- so I was left with I had been told,  
11 on one hand, that I was qualified as an expert in that  
12 case, and then on the other hand, I was told that maybe  
13 not because the expert evidence, ultimately, was not  
14 considered. So that's just for full disclosure.

15 Because one of the things that I've got -- that  
16 I -- that was brought up is anytime I -- I didn't know  
17 from the first case, and I know it has to be disclosed,  
18 and I didn't want to get in trouble, so I disclosed  
19 that I was qualified as an expert witness in that --  
20 the first case, and then I was accused of lying, but I  
21 just didn't know because I'm not a legal expert, and so  
22 that's been clarified.

23 So that's why, for your full disclosure, I want  
24 you to know what's happened. So in that last case,  
25 whether or not I was officially qualified, I'm actually  
26 uncertain of, but certainly my -- in both cases, nobody

1       disputed my -- the ability to serve as an expert. And  
2       in the last one, my expert report was actively  
3       discussed in court. That's for full disclosure.

4     Q   Thank you. Now, Dr. [REDACTED] do you know Dr. Curtis  
5       Wall personally?

6     A   I don't know him at all, no, and I -- so all I know is  
7       the name, and, in fact, I still know very little about  
8       him.

9     Q   Do you have any financial interest in the outcome of  
10       this case?

11    A   No.

12    Q   Do you understand your duty to provide this Tribunal  
13       with your expert knowledge and opinions in an objective  
14       and neutral manner?

15    A   Yes, yeah, and that's -- as a scientist, that's what I  
16       am expected to practice on a regular basis as I  
17       mentioned, otherwise, the entire peer-review process  
18       will be compromised, and I will endeavour to do that  
19       today as well.

20    Q   Thank you.

21       MR. KITCHEN:                   Well, those are my  
22       qualification questions. Chair, I want to have  
23       Dr. [REDACTED] qualified as the following -- I can read  
24       this a couple times -- but I want him to be qualified  
25       as an expert in the area of viral immunology and, in  
26       particular, SARS-CoV-2, COVID-19, and the efficacy of

1   masking, physical distancing, and other restrictions  
2   intended to prevent the transmission of SARS-CoV-2.

3   THE CHAIR:

Mr. [REDACTED]

4   MR. [REDACTED]                   Mr. Kitchen, I'm going to ask  
5   you to read that back, I got part of it or most of it,  
6   but I just need to hear all of it again, if you could  
7   do that.

8   MR. KITCHEN:

                                  Yeah, no problem. I'd like to  
9   have Dr. [REDACTED] qualified as an expert in the area of  
10   viral immunology and, in particular, SARS-CoV-2,  
11   COVID-19, and the efficacy of masking, physical  
12   distancing, and other restrictions intended to prevent  
13   the transmission of SARS-CoV-2.

14   MR. [REDACTED]                   Thank you, Mr. Kitchen.

15           Mr. Kitchen, I don't want to -- I may have a  
16   question or two for Dr. [REDACTED] at this point, but can  
17   you clarify what other restrictions you're referring  
18   to? I don't want to be too difficult here, but that's  
19   a little bit open-ended; I just wonder if you can  
20   comment on that.

21   MR. KITCHEN:

                                  Sure. I'm going to ask Dr. --  
22   what I anticipate asking Dr. [REDACTED] specifically about  
23   specific other restrictions, right. I've identified  
24   masking and physical distance as specific restrictions,  
25   right? But the reality is, and I -- you know, I think  
26   we often hear this from the public health people is

1       that, Look, it's a whole, right? You can't talk about  
2       these things very well isolated; they need to be talked  
3       about as a whole. That's one reason I have that in  
4       there is I'm going to have generalized questions, and  
5       Dr. [REDACTED] going to have generalized answers, I  
6       anticipate, about COVID restrictions globally or  
7       generally. That's one.

8               And two, I'm following along the same lines that  
9       you established with Dr. [REDACTED] which I didn't take issue  
10      with; you know, you had the catch-all other measures.  
11      You know, I figured that was appropriate, so I didn't  
12      object, and so I'm following along in the same vein so  
13      that we don't get into issues of, well, you know, you  
14      can only talk about masking or physical distancing.  
15      That doesn't really make any sense. It wouldn't make  
16      any sense for Dr. [REDACTED] it wouldn't make any sense for  
17      Dr. [REDACTED] it wouldn't make any sense for Dr. [REDACTED] so  
18      that's why I'm putting that in there; not because I'm  
19      going to go to specific other restrictions, but because  
20      I want to talk about them generally.

21      MR. [REDACTED]                       Okay, thank you for that. I  
22      just have a couple of quick question for Dr. [REDACTED]

23      MR. [REDACTED] Cross-examines the Witness (Qualification)

24      Q   MR. [REDACTED]                       Good morning, Dr. [REDACTED] I  
25      wonder if you can answer a couple of quick things for  
26      me. You had a discussion with Mr. Kitchen about the

1 fact that you have your Ph.D., I think you're a viral  
2 immunologist. Is it correct that you're not a medical  
3 doctor then? I just want to be clear about that.

4 A Yes, that is correct. I do not hold an M.D. degree,  
5 nor a D.V.M. or any type of medical -- professional  
6 medical degree. I'm not a professional --

7 Q And similar to that --

8 A -- (INDISCERNIBLE) --

9 Q -- are you now a member of a regulated profession  
10 under, you know, the Ontario regulated Health  
11 Professions Act or something similar?

12 A No.

13 Q So you're not a member of a regulatory college like the  
14 College of Chiropractors of Alberta, for example, if  
15 you were in Alberta?

16 A That is correct.

17 Q Have you ever been a member of a regulatory college?

18 A No.

19 Q I think you touched on this with Mr. Kitchen, but have  
20 you advised any public health bodies concerning  
21 COVID-19; have you been asked to consult with them?

22 A Yes. So I have -- so, for example, I've had numerous  
23 interactions with the National Advisory Committee on  
24 Immunization, lots of back-and-forth emails, so, yeah,  
25 so that's a great question.

26 So I focus on research. I tend to focus more on

1 the pre-clinical side, feeding into the translational  
2 research arm. I have had some of my research go into  
3 clinical -- human clinical trials, but that gets passed  
4 off to those who work on the clinical research side.

5 So the type of research that I do helps inform  
6 public policy --

7 Q Yeah, I --

8 A -- public health policies but --

9 Q I think I --

10 MR. KITCHEN: Mr. [REDACTED] you need to let  
11 my witness finish.

12 MR. [REDACTED] Yeah, sorry, sorry.

13 Q MR. [REDACTED] I just wanted to -- I didn't  
14 want you to go down a certain road. I was more  
15 interested in whether you, for example, worked with the  
16 Ontario Chief Medical Officer of Health or anything  
17 along those lines.

18 MR. KITCHEN: And he'll --

19 A No, I haven't worked directly -- sorry.

20 MR. KITCHEN: Obviously, he's going to  
21 answer that question, but, Dr. [REDACTED] you are  
22 permitted to finish your answer to my friend's two  
23 questions ago.

24 A Okay, sure, yes. Yeah, so when it comes to public  
25 health, the type of research that I do and the science  
26 that I publish is what is used to inform public health



1 policy. So things like, for example, we've heard a lot  
2 about the epidemiological modelling, so what -- so --  
3 and what happens is when these epidemiological models  
4 are made, there's a lot of assumptions that are plugged  
5 into those.

6 And so, for example, the type of research that I  
7 do would be important in terms of what kind of data  
8 gets plugged into these models when it comes to  
9 assumptions like naturally acquired immunity, for  
10 example, or vaccine-related efficacy, right, these  
11 assumptions that dictate how some of the measures right  
12 now are performing, and that then influences the  
13 output, which is when we're trying to predict what  
14 cases and severe outcomes like hospitalizations and  
15 intensive care unit admissions, for example, I get  
16 into, just so that the -- everybody has an  
17 understanding of sort of where I stand on that  
18 spectrum. So my data feeds into that, you know, basic  
19 science aspect that informs then these models and how  
20 they're run.

21 But to directly answer your question, Mr. [REDACTED]  
22 I have not worked directly with the medical -- with  
23 Ontario's Medical Officer of Health. With that said, I  
24 have provided letters to them, you know, with my input,  
25 but I have not been formally recruited by them to  
26 discuss, you know, scientific matters.

1 MR. [REDACTED] Thank you, Dr. [REDACTED] those  
2 are all my questions.

3 Mr. Kitchen, I don't have any concerns with the  
4 manner in which you're tendering this witness. I think  
5 you've told me you wanted to have a little flexibility  
6 in terms of the other restrictions phrased, and I'll  
7 object if I need to, but I don't anticipate I would  
8 have to do that.

9 MR. KITCHEN: Thank you. Well, Mr. Chair,  
10 it's over to you then to let us know if you accept that  
11 qualification. I can read it again --

12 THE CHAIR: Yeah, no, that's okay. I  
13 think we all got it. Do we need to caucus, Mr. [REDACTED]

14 MR. KITCHEN: You're muted.

15 MR. [REDACTED] My apologies, I had a little  
16 bubble over my mute button. Yeah, maybe we should just  
17 take a very brief minute.

18 THE CHAIR: Okay.

19 MR. [REDACTED] Yeah.

20 THE CHAIR: Thank you.

21 MR. [REDACTED] Thank you.

22 (ADJOURNMENT)

23 Ruling (Qualification)

24 THE CHAIR: We're back in session, and,  
25 Mr. Kitchen, the Hearing Tribunal has no objection to  
26 your qualifying this witness as an expert in his stated

1 field.

2 MR. KITCHEN: Thank you. Well, then I  
3 propose we continue on with questioning, and then if we  
4 need to take a break, then I'm sure somebody will put  
5 their hand up.

6 [REDACTED] Previously sworn, examined by  
7 Mr. Kitchen

8 Q MR. KITCHEN: Dr. [REDACTED] you can hear us,  
9 right?

10 A Yes, I can.

11 Q Excellent, all right, well, I'm going to jump right in.  
12 First, I want to start with a few basic questions,  
13 I know you touched on this in the qualification, but  
14 just to clarify, what is the virus that causes the  
15 disease of COVID-19?

16 A Yeah, so just to be clear, the virus in question here  
17 is known as the Severe Acute Respiratory  
18 Syndrome-Coronavirus-2. It's specifically been given  
19 that designation 2, because about 18, 19 years ago,  
20 there was an outbreak, including in Canada, of the  
21 original Severe Acute Respiratory Coronavirus, which is  
22 now either just called SARS-CoV or sometimes now  
23 referred to as SARS-CoV-1.

24 So this is dealing with the Severe Acute  
25 Respiratory Syndrome-Coronavirus-2, which was first  
26 identified and that information made public in the year

1 2019 now, late in the year 2019, and this is where we  
2 get this term "COVID-19" from. So what COVID-19 is,  
3 that's the Coronavirus disease, and then the 19 part  
4 refers to that was initially identified in 2019.

5 And, again, yeah, to differentiate -- and this is  
6 an important distinction for people to make --  
7 SARS-Coronavirus-2 is the virus. COVID-19  
8 is the disease. Being infected with the virus doesn't  
9 equate with having a disease. To have a disease, one  
10 must have signs for -- and/or symptoms of illness. So  
11 there's a clinical part to that diagnosis. So, again,  
12 one can be infected with the virus but not necessarily  
13 have disease, and, in fact, scientific literature right  
14 now shows that there's a much larger than previously  
15 anticipated and still unknown proportion of the  
16 population that has been or can be infected with  
17 SARS-Coronavirus-2 and not get COVID-19, the disease.

18 And so a way to kind of make sure that everybody  
19 understands that properly, we are all, all of us right  
20 now, I can guarantee, are infected, infected with all  
21 kinds of microorganisms, including lots of viruses. We  
22 think -- we hear a lot about our microbiome, and we  
23 often think about the bacteria that coat the outside  
24 and inside of our linings specifically, like the  
25 mucosal membranes throughout our body or gut, our  
26 respiratory tract, reproductive tracts, et cetera, and

1           then, of, of course, our skin.

2           But part of that microbiome is also what we know  
3           as the virome, so we actually have probably more  
4           viruses in and on our body than we actually do  
5           bacteria, and, interestingly, a lot of those viruses  
6           are actually -- have infected the bacteria that are in  
7           or on our body, and these are known as bacteriophage.

8           So I mean this just highlights that we can be  
9           infected with an agent but not have disease, and so  
10          that's the distinction here. SARS-CoV-2 is the virus  
11          that, in some people, can cause the disease known as  
12          COVID-19.

13       Q   Thank you. Now, when it comes to the virus and the  
14           disease and everything that's been going on in the last  
15           two years, what would you say is the most important  
16           difference or some of the most important differences  
17           between scientists such as yourself and public health  
18           doctors such as Dr. [REDACTED]

19       A   Yeah, so I can't comment specifically on Dr. [REDACTED] but I  
20           can provide some generic feedback, because, again --  
21           so, for example, individuals like myself, again, so we  
22           train -- we train medical professionals. In my  
23           specific case, I've chosen to work with the University  
24           of Guelph. I've been offered a position at the  
25           University of Ottawa where I would have been teaching  
26           students in the M.D. program, but because I felt I

1     could do more sophisticated research at the University  
2     of Guelph, because there's more animal models available  
3     and the type of research I do, I teach students in the  
4     doctor veterinary program.

5             However with that said, I've also had many of my  
6     undergraduate and graduate students that I've trained  
7     and mentored have gone to medical school as well.

8             And so as a consequence because of this teaching,  
9     I'm routinely involved with communicating, for example,  
10    I've chaired for many years our department's seminar  
11    series committee, and so through that, I host other  
12    scientists through my collaborative network. I've been  
13    in contact with all kinds of faculty members who teach  
14    in these types of programs.

15            So what's important to note is when one has an  
16    advanced degree, so, for example, a Master -- so that  
17    would be like a Master's degree and especially a Ph.D.,  
18    a Ph.D. takes it to a far greater extreme. What one is  
19    being educated in in that area is a very deep  
20    understanding of a particular area of expertise. So in  
21    my case, I have spent years studying in incredible  
22    detail the areas of virology and immunology, and  
23    although not relevant to today, but also cancer  
24    biology.

25            And so the key difference, what people have to  
26    understand -- and, again, this -- I mean no offence by

1    this in any way, but it's just to encourage  
2    understanding -- is if somebody holds an M.D., and the  
3    same would be for a D.V.M., any of these professional  
4    medical degrees, what you have to understand is when it  
5    comes to the medical doctorate programs, these are  
6    undergraduate programs -- they're undergraduate  
7    professional programs, right? So people when they get  
8    these degrees, they are declared professionals, but  
9    they are undergraduate degrees. So that is why, for  
10   example, if you see somebody who holds a graduate  
11   degree, the graduate degree will always, even if it's a  
12   Masters degree, it will always be listed after the  
13   undergraduate medical degree, and that's to recognize  
14   the fact that one is training at the undergraduate  
15   level, whereas the other one is more in-depth training  
16   at a graduate level. So literally -- so that's what  
17   you'll typically see. So if I were to list my  
18   credentials, I would be required to list my Bachelors  
19   of Science first, my Masters of Science second, and my  
20   Ph.D. last, and what we usually do is we just simply  
21   list the Ph.D. because it essentially trumps the  
22   others. So that's why you'll typically see -- not  
23   people won't list the Bachelors or Masters, and I don't  
24   like to do that because, you know, it's not about  
25   trying to garner, you know, praise from others, it's  
26   simply to recognize that, you know, ultimately we have

1     achieved -- we have -- we've got a Ph.D.

2             So that's why you see -- so the order in which  
3     degrees are listed actually is important in the  
4     scientific and medical community to recognize these  
5     distinctions, and so at the -- so, in other words,  
6     individuals like myself, who have deep expertise in  
7     immunology and virology, so I would teach in these  
8     programs in those areas that are under my expertise and  
9     try and get as much of that expertise conveyed to the  
10    people who are earning these undergraduate medical  
11    degrees.

12            One of the universal concerns actually -- so when  
13    I start my teaching -- and I mention this because it's  
14    important to understand the full scope of your  
15    question -- I -- so I -- one of the things I take pride  
16    in, as far as I know to date within the D.V.M. program,  
17    doctor veterinary medicine program that I teach, as far  
18    as we know to date, it involves the most extensive  
19    training in immunology in North America. I can't say  
20    for sure, because I don't know what every medical  
21    college in North America, what their programs entail,  
22    but so far, and has been recognized by my  
23    administration, we haven't seen one that's more  
24    intensive.

25            And by that I mean, we teach -- I have 30 lecture  
26    slots with my students to talk about -- you know, to



1     lecture them about immunology. Included with that is  
2     we have what we call independent learning sessions,  
3     where they also do some learning on their own about  
4     immunology. We also have -- I've incorporated what I  
5     call interactive learning sessions where we use a  
6     technology called iClickers, where I can put up  
7     questions and have the students then provide their  
8     feedback so I can gauge how well they are or are not  
9     understanding concepts, plus we have review sessions  
10    where they can openly ask me any questions that they  
11    want.

12           And then the other thing that we have is I run --  
13    the class, because it's large, gets split into two, so  
14    I run two laboratories split across two halves of the  
15    class, so four laboratory sessions in total. So each  
16    student gets six hours of laboratory exposure to  
17    immunology, so hands-on learning.

18           So I just say that to put in perspective, because  
19    in Canada, in the M.D. program, the average M.D.  
20    program in Canada provides in the ballpark of ten  
21    lectures, only lectures and none of these other  
22    aspects, no laboratory, you know, hands-on learning,  
23    ten lectures on average in the first year of the M.D.  
24    program and less than that for virology.

25           So on the extreme end would be McMaster  
26    University. I have had several of my students go to

1   McMaster University and of course to collaborate -- I  
2   mean, I did my post-doctoral fellowship there, so I --  
3   and I collaborate and still collaborate with people  
4   from McMaster, so I know this very well. They're on  
5   the extreme low end in Canada actually with five  
6   lectures in immunology in the first year of the  
7   program.

8           So I say that because when it comes to things like  
9   immunology and virology, therefore, if it's just an  
10   M.D., then somebody who just holds an M.D. and who has  
11   not taken advanced training in these areas would have  
12   only the most superficial understanding of these areas  
13   of science. And at an extreme, it is possible to get  
14   into these programs without completing an undergraduate  
15   program. I'd like to point that out because their  
16   undergraduate immunology training, for example, the  
17   University of Guelph involves about 35 lectures in  
18   immunology, so -- but those tend to be in third and  
19   fourth year. People can get admitted into medical --  
20   and they're not often prerequisites as well. So even  
21   an undergraduate student with a Bachelor of Science  
22   degree who has taken an undergraduate immunology  
23   course, for example, from the University of Guelph  
24   would have a much more comprehensive understanding of  
25   immunology and virology than the average person at the  
26   point of completing their medical doctorate.

1 Q Thank you. Okay, now I've got some questions about  
2 your report. In Section 3 of your report, and just for  
3 those following along, that's page 2 of 18. So in  
4 Section 3, Dr. [REDACTED] you refer to the SARS-CoV-2  
5 virus --

6 A Sorry, Mr. Kitchen, may I just ask a question; am I  
7 allowed to bring up my report to refer to it?

8 Q Yes, yes, you are.

9 A Okay, I'm going to be looking -- I'm going to bring it  
10 up on my -- I have a second screen here and that is  
11 what I'm looking at. So, sorry, which page?

12 Q So I'm on page 2 and 3 of 18 pages, and this is Section  
13 3, where you say: (as read)

14 SARS-CoV-2 is not a problem of pandemic  
15 proportions.

16 A Okay, just let me get there, page 2. Yes, okay, I'm  
17 there.

18 Q You discuss infection fatality rates in this. Well,  
19 let's start here: Could you just briefly explain for  
20 us, so we know, what is the infection fatality rate?

21 A Okay, yeah, so what -- infection fatality rate, what  
22 that tells you is if you have a population and you can  
23 confirm that an infection has occurred and how that --  
24 and I want to point out how that is determined, what  
25 method is used is important, because if techniques are  
26 used improperly, one might be erroneously identified as

1       being infected. But so what infection fatality rate is  
2       supposed to be is if somebody is genuinely infected, it  
3       gives you an indication of what the chances are that  
4       that is going to be fatal for that individual.

5               So the best way to understand it is, again,  
6       because we're talking about percentages, it's best to  
7       put it, give the example of how having a population of  
8       100 people, so if you know what -- if you have a group  
9       of people that you know for sure are infected with a  
10      pathogen, then the infection fatality rate would tell  
11      us how many, what proportion of those 100 people would  
12      be expected to die as a result of that infection.

13    Q    Could you please describe the relative danger of  
14       SARS-CoV-2? And I say "relative" because, you know,  
15       obviously we're not working in a vacuum here. So if  
16       you could tell us the relative danger of SARS-CoV-2.

17    A    Yes. So what I'd like to point out just before I start  
18       giving the full answer, and I'll come back to this at  
19       the end, there is -- what I want to point out is in my  
20       report -- just, again, to put it in perspective, my  
21       report was submitted I can't remember the exact date,  
22       but it was, you know, well -- it was quite some time  
23       back in 2021. So I'm going to talk about, because this  
24       has been admitted as evidence, I want to talk about  
25       what was available to me at that time, but it's  
26       important to note that things have also changed quite a

1 bit in the context of the Omicron variant, so I'd like  
2 to touch on that at the end.

3 So in terms of what I have in the report, what  
4 you'll see is that ultimately I cite a scientific  
5 paper, again, a peer-reviewed published paper that  
6 estimates -- that estimated at that time that the  
7 infection fatality rate for SARS-Coronavirus-2 was  
8 likely in the ballpark of 0.15 percent. So, again, to  
9 put that in perspective, if a hundred people were  
10 infected with SARS-Coronavirus-2, you'd expect 0.15  
11 percent of them to die.

12 Now, this is important because when the pandemic  
13 was declared, many of us might recall or certainly you  
14 can look up the, you know, the headlines, it was  
15 declared -- there were concerns at the beginning,  
16 because we didn't know a lot about this virus at the  
17 very beginning, so what I'm referring to there is  
18 towards the end of 2019 when this virus was first  
19 identified, we didn't know, you know, what exactly the  
20 outcome of infection would be, and there were serious  
21 concerns that we might be looking at infection fatality  
22 rates as high as 10 percent. So that was stated by  
23 many health professionals including Anthony Fauci and  
24 many others.

25 Then as time progressed, and we started to realize  
26 that it was a relatively limited demographic that was

1 at high risk from this virus, that was rephrased, and  
2 the concerns were then that this might be in the  
3 ballpark of -- infection fatality rate might be in the  
4 ballpark of about 1 percent, and that would be serious  
5 if it was at 1 percent, definitely with 10 percent,  
6 also at 1 percent. I would argue as an expert in this  
7 area, a 1 percent infection fatality rate, that  
8 declaration of a pandemic would likely -- would be  
9 warranted at a 1 percent infection fatality rate.

10 But this is where it's important is what we soon  
11 realized because of the way that the testing was being  
12 done, and there'd certainly be flaws with the testing  
13 as it's been performed in Canada, what I'm referring to  
14 there are the reverse transcript-ase PCR tests or what  
15 we often refer to as just the PCR test. "PCR" meaning  
16 polymerase chain reaction test, which are -- the way  
17 we're using them, they're notorious for identifying a  
18 lot of false positives. So that's why you have to keep  
19 sort of mentioning and when I'm giving these statements  
20 that a lot of -- at its root is when you know  
21 somebody's infected.

22 So what we know is that there have been a lot of  
23 people who have been infected who never got sick, and  
24 so initially our estimates of infection fatality rate  
25 were based on people who actively had COVID. Now,  
26 we -- again -- so, again, we recognize now that

1     there -- that there -- a lot of people can be infected  
2     but for whom this is not even a pathogen. And what I  
3     mean by that is because it does not count as disease in  
4     those individuals.

5             For example, that's very common in children, and  
6     one of the reasons for that is children simply have  
7     physically expressed many fewer of the receptors the  
8     virus uses to grab onto our cells and infect it. So  
9     there's many children who get infected, but the  
10    infection is -- never becomes productive enough to  
11    cause disease.

12            And so as we've appreciated that, the way this is  
13    calculated is, like I said, you have to have -- in  
14    order to calculate infection fatality rate, you have to  
15    know the number of deaths, and you divide that by the  
16    denominator, which is the number of people who are  
17    infected. So early on in this pandemic, we -- the way  
18    this was being calculated, of course, we've always had  
19    quite accurate numbers of deaths, because that's -- I  
20    mean, you know, unfortunately, that is a very easy  
21    outcome to define and identify and document, and  
22    there's really -- there's no controversy about that  
23    outcome, that a death is black or white, either  
24    somebody's died or they have not. So we have very  
25    accurate data about deaths.

26            The problem is we still don't have fully accurate

1 data for the denominator, which is how many people have  
2 been infected. But as we have expanded the testing and  
3 looking for evidence of -- and, again, it's not even  
4 the virus but evidence that the virus is present in  
5 somebody's body by detecting portions of the genetic  
6 material that this virus would have, what we've been  
7 able to appreciate is that the denominator -- the  
8 denominators kept growing, in other words, right? We  
9 have found that more and more people have been  
10 infected.

11 So, for example, there's the great study that was  
12 published, actually a Canadian study, a high -- that  
13 was published in a very high-impact scientific journal,  
14 and it was a clinical trial that was being run out of  
15 British Columbia looking -- actually looking at healthy  
16 people for evidence of immunity acquired against  
17 SARS-Coronavirus-2, so, again, knowing that this was a  
18 novel virus. And what it found is that a majority of  
19 people who were not sick had evidence of having  
20 acquired, especially as time has gone on, so a year  
21 after the declaration of the pandemic, a large number  
22 of people who were unaware that they were sick with  
23 SARS-Coronavirus-2, you know, there was no sickness  
24 that they could identify, had evidence of what we call  
25 seroconversion, so the immune system having responded  
26 to the virus and produced antibodies against it.



1           So what this publication that I cited here did is  
2   it accounted for this ever increasing denominator, and  
3   so it corrected for the early massive overestimations  
4   of the infection fatality rate and came up with one  
5   that they felt at that time was more reasonable. And,  
6   again, I point out that this publication is from  
7   earlier in 2021, much earlier in 2021. And they  
8   estimated that the overall infection fatality rate was  
9   0.15 percent.

10           So to put that into perspective for people, and  
11   this is largely agreed upon, I mean people like  
12   Dr. Fauci, for example, have publicly declared themself  
13   that, you know, the flu is often associate -- the  
14   annual flu is often associated with an infection  
15   fatality rate in the ballpark of 0.1 percent. So an  
16   infection fatality rate of 0.15 percent would be like a  
17   particularly bad flu season.

18           And the other thing to point out is when one looks  
19   at this publication, that's the overall infection  
20   fatality rate for the entire population. And in this  
21   case, we know that this virus is much more dangerous  
22   for a much more restricted subset of individuals,  
23   specifically the frail elderly and those who are  
24   immunosuppressed. And then we've come to identify some  
25   very key predictors of dangerous outcomes of infection:  
26   Obesity at the moment is the number one risk factor

1 associated with fatal outcomes, and alongside that are  
2 multiple comorbidities. So the average person who has  
3 died with SARS-Coronavirus-2 -- with the  
4 SARS-Coronavirus-2 infection has had, on average, more  
5 than three other comorbidities, meaning other  
6 illnesses, other health problems in addition to  
7 infection with the SARS-Coronavirus-2.

8         So why this is important is because if you were to  
9 remove those individuals from this analysis, you end up  
10 with an infection fatality rate for the rest of the  
11 population that is well below 0.1 percent, with the  
12 extreme being when you go into children. So if we go  
13 to the under 18-year-old demographic, the infection  
14 fatality rate would be well, well below 0.1 percent,  
15 and our own public health data show that, that there  
16 have been extremely few deaths. So, yeah, very few in  
17 that young demographic. So -- but this is the thing,  
18 so that's what I have in the report.

19         Now, what's important to note is that was dealing  
20 with data where we were dealing with the original  
21 variant and some of the variants that started to  
22 emerge, so, for example, the Alpha variant. Those  
23 variants we now know, certainly relative to the current  
24 Omicron variant -- and I think this is important  
25 because presumably I mean with this hearing happening  
26 today, I guess we're talking about the relevance of

1 certain COVID-19 policies as it exists today. If we  
2 ask somebody today to implement a certain policy,  
3 what's relevant is what the situation looks like today.

4 So the Omicron variant is far more infectious than  
5 the original variants -- actually I should restate  
6 that. It's more infectious than the original variants.  
7 The Delta variant was particularly infectious, that's  
8 when we first saw a change in the virus towards one  
9 that is more infectious and that can spread, therefore,  
10 easier, and this seems to have continued with the  
11 Omicron variant.

12 And this is very typical of viruses. What I'd  
13 like to highlight is -- and so this leads to what we  
14 call cases, right? Cases -- and, again, what I'd like  
15 to point out is the cases that we are identifying in  
16 our public health data are not actually cases of  
17 COVID-19; they're cases that were called -- although we  
18 often equate them to cases of COVID-19, what they are  
19 in reality is they are positive test results, again,  
20 for the presence of portions of the virus's genetic  
21 material in an individual. So people tested positive  
22 by the PCR test for -- and that provides some evidence  
23 that they may be infected with a potentially infectious  
24 form of SARS-Coronavirus-2. So that's important.

25 And what I'd like to point out is cases in and of  
26 themselves are not dangerous. So if somebody were to

1     acquire any of the respiratory pathogens and develop  
2     mild to moderate signs or symptoms of illness like  
3     other common cold-causing viruses, including other  
4     types of cold-causing Coronaviruses, like Norwalk  
5     virus, like respiratory syncytial virus, and like  
6     influenza viruses as examples, they would be cases of  
7     respiratory illness. So that -- and all those cases,  
8     those viruses are highly transmissible, but in most  
9     cases do not cause -- well, I should -- I'll talk about  
10    the cold-causing viruses, in most cases do not cause  
11    severe disease.

12           So if we think about the common cold, highly  
13    contagious. I mean, we've all seen this, especially  
14    anybody who's been in -- volunteered in a school,  
15    worked in a school, or has children in school, and in  
16    also workplaces, schools especially, I mean, a cold  
17    will spread rampantly throughout the school population  
18    and in all the homes connected with the school. So the  
19    ability to spread rapidly is not in itself a concern if  
20    it's only causing, in most people, mild to moderate  
21    disease. The reason why I focused on cold viruses is  
22    they excluded things like respiratory syncytial virus  
23    and influenza viruses, for example, because they  
24    actually can be particularly dangerous, not only the  
25    same demographics that we're talking about with  
26    SARS-Coronavirus-2 but especially in young children,

1    which are quite -- actually protected because of that  
2    unique physical, you know, lack of expression of the  
3    receptor the virus uses to grab onto our cells that --  
4    and it's not confined to SARS-Coronavirus-2, it's  
5    unique in that our very young are not susceptible in  
6    this case. But all these people are susceptible to  
7    potentially severe and fatal outcomes with influenza  
8    viruses and the young for sure with respiratory  
9    syncytial virus.

10       And so that -- so that's why -- so, yes, so I want  
11    people to understand Omicron is more -- because this  
12    relates to the infection fatality rate, -- it can  
13    spread easier, but it is definitely much less dangerous  
14    than any of the previous variants. That is clear.  
15    We're seeing that everywhere. I want to -- so what's  
16    important to understand this -- is because of the  
17    public health messaging, right, that's been out there,  
18    and personally as an expert -- I have contentions with  
19    this, but I'm just putting out what the public health  
20    messaging is right at the moment -- is that the  
21    vaccines being used for SARS-Coronavirus-2 have been  
22    purported to be -- I mean, originally, they purported  
23    to be very protective and protect people from infection  
24    and disease and very good at preventing transmission.  
25    That certainly has been downgraded, and I would argue  
26    that current data suggests that they are not reducing

1 the spread of the disease at all.

2 In fact, the remarkable phenomenon and of concern  
3 to me is that we're actually seeing cases occurring  
4 predominantly among the fully vaccinated, which might  
5 actually be evidence of vaccine-enhanced disease. But  
6 I raise this because in vaccinated individuals, this is  
7 the messaging, that it's supposed to be, supposed to be  
8 reducing their chances of getting infected and their  
9 chance of transmitting the virus to others. And yet in  
10 all of our school and work environments where it's  
11 almost completely people who are vaccinated, so there  
12 should be reduced transmission and they're masking, the  
13 viruses are still spreading rampantly. So this is the  
14 nature of Omicron.

15 But our data also show that while the cases of  
16 Omicron have skyrocketed across all of Canada,  
17 including Alberta, the most serious outcomes have  
18 steadily declined. So there's been a -- there's been,  
19 over time, a complete uncoupling of cases and the most  
20 severe outcomes. So as we've continued to have  
21 these -- and, remember, the first wave early on in the  
22 pandemic has been dwarfed by multiples -- recent waves,  
23 including the most recent with Omicron, has completely  
24 dwarfed the previous wave if you look on the graphs and  
25 the number of cases that are occurring. Yet, we have  
26 progressively gotten -- gone closer and closer to

1 baseline when it comes to hospitalizations and ICU  
2 admissions and deaths, and so that's clear evidence  
3 that Omicron is less dangerous.

4       Also biologically, I can explain why this is, and  
5 it -- there's two phenomenon that explain why Omicron  
6 now is much less dangerous than the previous variants.  
7 So -- and this goes hand-in-hand actually with the  
8 vaccines. The vaccines, unfortunately, we've delivered  
9 them into the muscle, which is called a parenteral  
10 route. That tricks the body, the immune system into  
11 thinking that there's a systemic infection, not a  
12 mucosal infection. Remember, the natural infection is  
13 through the airways. And so when the body thinks that  
14 there's a systemic infection, what it wants to do is it  
15 protects all of the key entry points into the body to  
16 protect from future systemic infections.

17       So when it comes to respiratory tract, the only  
18 place that these vaccines confer some protection is in  
19 the very lower airways, and that's because if a virus  
20 gets into our lower airways, there's not much  
21 physically to prevent that virus from getting into the  
22 blood, and that's because of gas exchange, right?  
23 We -- so in the alveolar space, we have blood vessels  
24 that come very, very close to the alveolar space to  
25 allow the gas exchange, oxygen to go into the blood and  
26 carbon dioxide to be released. So that also means that

1 if a virus gets there, there's only the ever so tiniest  
2 physical barrier to prevent it from getting into the  
3 blood. So our body produces antibodies in the lower  
4 airways.

5 So this is the thing -- and I say that because  
6 this is important -- the most severe outcomes of  
7 infection with SARS-Coronavirus-2 is when the virus  
8 goes down into the lungs. When it's in the upper  
9 airways, it's not particularly dangerous. When it gets  
10 dangerous is when it gets down into the lungs, and it  
11 causes a severe pneumonia, then you start getting  
12 inflammation in the lower lungs, and that can interfere  
13 with things like gas exchange, and it can cause a lot  
14 of damage to the physical architecture of the lower  
15 airways, which is where all the gas exchange has to  
16 occur.

17 And when it gets into those lower -- in the lower  
18 lungs, that's where the real problems are when the  
19 virus then starts entering the bloodstream, and we get  
20 what's called viraemia, and that means the virus can  
21 distribute all throughout the body using the blood, our  
22 blood, as highways of all the places -- all kinds of  
23 different places in our body. So that's where the  
24 severe outcome occurs.

25 And that's also why the vaccines with earlier  
26 variants were doing, you know, a somewhat decent job at



1 dampening the most severe aspects of the disease. But,  
2 as we've now recognized, they weren't preventing  
3 infection, and they weren't preventing transmission.  
4 And this is why they're having no impact on Omicron,  
5 the spread of Omicron, is because -- this is the other  
6 key biology you have to understand -- so if the virus  
7 doesn't go deep in the lungs, you tend not -- you're  
8 going to tend not to get severe disease. It's the  
9 difference between bronchitis and pneumonia, and many  
10 of us will know that pneumonia is -- has a much more  
11 severe prognosis than bronchitis, which is the upper  
12 airways. Pneumonia being in the lower airways.

13         So the interesting thing is Omicron now has  
14 accumulated a lot of mutations, a lot of mutations, and  
15 it has changed how this virus behaves. In one -- so  
16 one way it changed it is has become more infectious,  
17 but it's also become much less dangerous, because when  
18 we talk about viruses, we refer to something that's  
19 called tropism. Tropism is a scientific term that  
20 means where the virus likes to go in our body. So the  
21 original variants like to infect our upper airways and  
22 then migrate into our lower airways, and that's where  
23 they were dangerous.

24         The Omicron variant also infects through the nasal  
25 passages and the mouth and infects our upper airways,  
26 but it does not migrate down into the -- deeper into

1 the lower respiratory tract. It now has the more  
2 restrictive tropism, meaning it likes to stay in the  
3 upper airways. So this explains why the vaccines are  
4 now largely irrelevant in the context of the Omicron  
5 variant because the protection is in the lower airways  
6 and not in the upper airways. And so somebody -- and  
7 that also explains why the virus -- whether you have  
8 immunity or not is not particularly dangerous because  
9 it's restricted to the upper airways.

10 It also explains why everybody can equally  
11 transmit the virus, because nobody -- well, sorry,  
12 sorry, I -- that's untrue. I'm going with sort of the  
13 public messaging that's out there. So I'll tell you  
14 what the exception is to that. But it's thought right  
15 now that everybody, whether or not they have been  
16 vaccinated or not, can transmit at least the same  
17 quantity of the virus because it's in the upper  
18 respiratory tract.

19 But the reason why I want to point that out is I'm  
20 an immunologist and have found it profoundly  
21 frustrating that it's not recognized that our immune  
22 system actually does its job and functions naturally.  
23 The purpose of a vaccine is to simulate a natural  
24 infection, try and do the best that we can to simulate  
25 an actual infection as accurately as we can to confer  
26 immunity. As I mentioned that these -- we've made a --

1 you know, the vaccines going parenterally actually  
2 trick your immune system into thinking it's a systemic  
3 infection, so we're not getting proper protection of  
4 our airways.

5       Somebody who has been naturally infected will have  
6 mounted an immune response, and their immune response  
7 is going to be far more relevant, especially to the  
8 Omicron variant, because they've been infected the  
9 natural -- by the natural route. Our immune system  
10 when infected by the respiratory tract makes sure that  
11 it provides infector mechanisms that can protect all,  
12 all areas of the respiratory tract, upper and lower.  
13 So I want to point that out.

14       So we don't know a lot about natural immunity  
15 because we haven't been looking for it, but somebody  
16 who has natural immunity, we can't make any assumptions  
17 about their health status without knowing, because if  
18 somebody has natural immunity, they're actually going  
19 to be the most protected in the context of Omicron, and  
20 they're going to be the ones that spread the  
21 SARS-Coronavirus-2 to the least of anybody in Canada  
22 right now.

23       So I know that's a lot, but it's -- it's a lot of  
24 science, again, to understand the importance of the  
25 infection fatality rate, what it means, and why we have  
26 been seeing it declining, and why we can conclude that

1 the danger of SARS-Coronavirus-2 even more recently has  
2 continued to decline.

3 So, again, I'd just like to finish by, again,  
4 saying SARS-Coronavirus-2 with the dominant -- the  
5 variants out there right now, by far the dominant one  
6 is Omicron. It is more transmissible right now and  
7 much less dangerous right now.

8 And just to understand as well from the virology  
9 perspective, that's typical for a virus. Any  
10 pathogen -- so, again, you think about -- so if we  
11 think about viruses as organisms, right, if we just  
12 take that very like objective approach, and we think  
13 about this from the perspective of an organism and an  
14 organism trying to survive; it is never to an advantage  
15 to any microorganism to cause severe harm or kill its  
16 host, because if it does, it's going to render itself  
17 extinct.

18 So what happens over time is, arguably -- so we --  
19 we often forget about this, as I mentioned, our bodies  
20 are loaded with viruses that causes no harm. The vast  
21 majority of viruses that we're exposed to in the world  
22 do not cause disease. That is where viruses want to  
23 get to and for the reason of survival. Because, again,  
24 like I said, if they were to infect the host and kill  
25 that host, they're rendering themselves extinct.

26 So the natural progression for a virus is to

1    become -- so think about it, if you want to maximize  
2    survival, if you want to maximize the number of your  
3    kind, right, you can think about any organism, what you  
4    want to do is maximize your ability to propagate and  
5    minimize your ability to harm your host and especially  
6    not kill them. And so that's why viruses over time  
7    will naturally progress to ones that are more  
8    infectious, because the more hosts they can infect, the  
9    more they propagate, right, and the larger their  
10   numbers become, but they simultaneously become less  
11   dangerous, because if they were to kill all those  
12   hosts, they're going to render themselves extinct.

13        So that's what this virus is doing, has been  
14   doing. We have the evidence of this. This is the --  
15   so this is a natural progression for this type of  
16   virus: It's reaching -- starting to approach a more  
17   ideal way to live with us by, you know, spread readily  
18   among people but not cause substantial harm to people,  
19   and it would probably -- likely continue to progress  
20   this way ideally, and so that's very important to  
21   understand.

22        So, again, just to highlight, being more  
23   infectious does not equal more dangerous. Again, I'd  
24   like to highlight the common cold is highly infectious,  
25   but for most people not dangerous. That seems to be  
26   where the Omicron variant is right now.

1               Sorry, Mr. Kitchen, it looks like you're muted.

2     Q     Sorry, I muted, because I didn't want to cause any  
3           noise to interrupt you.

4           Okay, if I understand you correctly then, we have  
5           an infection fatality rate that has changed over time,  
6           so I want to ask you a couple of questions about that.

7           You've said it's much less dangerous now. Can you  
8           give me a rough number of what the IFR rate is now or  
9           in the last few months? And I understand that might be  
10          several decimal points, but if you could give us some  
11          idea just so we have a number.

12    A     Well, actually I haven't seen a good, reliable  
13          peer-reviewed publication on that actually, and that's  
14          because the Omicron variant, you know, has -- it's  
15          quite recent, and, again, that would be the most  
16          relevant data. So all I can tell you is that, again,  
17          based on what I described for -- relative to the data  
18          that I highlighted -- that was highlighted in my  
19          report, which is dealing with older variants that  
20          unquestionably were more dangerous to the high-risk  
21          demographics, the Omicron is much less dangerous. So  
22          all I can say with certainty is that it would be well  
23          below the previously documented 0.15 percent, but I  
24          don't have a specific number that I could give you  
25          right now upon which I -- for which I could lean on a  
26          legitimate peer-reviewed scientific paper.

1 Q Let me ask you this: Is the survivability rate sort of  
2 the other side of the coin of the infectious fatality  
3 rate?  
4 A Yes.  
5 Q Okay, so, you know, the 99 percent --  
6 A So sorry, could I just clarify that, Mr. Kitchen?  
7 Q Go ahead.  
8 A So, yeah, so, in other words, just to make sure that  
9 it's clear, yes, absolutely, infection fatality rate, I  
10 mean, so if you take the inverse of that, that's the  
11 survivability rate. So that infection fatality rate  
12 that was updated early in 2021 of 0.15 percent, the  
13 other way to put that is that 99.85 percent of those  
14 deemed to have been infected with the virus would be  
15 expected to survive, and, again, that was with the  
16 older, more dangerous variants.  
17 Q Okay, so just to clarify, 99.85 survivability rate,  
18 that would have been the number in 2020?  
19 A So, again, this is -- that publication was -- that I  
20 cited was in 2021. It would have taken into account  
21 data up until very early in 2021.  
22 Q Okay, okay. So the survivability rate being 99.85 in  
23 2020, that's gone up since 2020?  
24 A Absolutely, yes, in the context of the Omicron variant.  
25 So like I said, so in terms of that data, yeah. What  
26 I've looking at, in particular, is the public health

1 data. And so, again, there -- so anybody can go to  
2 public health websites to see this for themselves. But,  
3 for example, I'm in Ontario, but Ontario, I mean,  
4 there's nothing particularly unique about our  
5 demographic relative to most of the other provinces,  
6 especially Alberta, so a lot of our data are very  
7 similar.

8 So, for example, like I mentioned public health  
9 data, so I'm talking about this is not looking at  
10 anybody else's interpretation of the data; this is the  
11 public health data, the raw public health data that's  
12 available to every Canadian. So you could go right now  
13 onto the Public Health Ontario website or Public Health  
14 Alberta website and see these data to confirm.

15 This phenomenon, which I get has caused some of us  
16 to be worried about, that the vaccines in context of  
17 the Omicron variant have actually set up the immune  
18 system to respond suboptimally, meaning that there  
19 might actually be enhanced potential for infection of  
20 those who are vaccinated, right? What we see in terms  
21 of public health data is that the cases right now have  
22 been occurring for the past month. This happened --  
23 this crossover happened at about -- at about -- well,  
24 in Ontario it happened on Christmas Eve. In Alberta,  
25 for example, the crossover happened a little bit later,  
26 up to a week later. But now the -- for the last month,



1 the -- with the Omicron wave, the number of cases have  
2 been occurring disproportionately among  
3 double-vaccinators.

4 So that then -- so that's the public health data  
5 that I'm relying on. So the same public health data,  
6 when you look at it -- and so because I know the -- I  
7 can -- I know the numbers much better off the top of my  
8 head for Ontario, that's what I'll use as my example.  
9 So keeping that in mind, simultaneously, the public  
10 health data has been looking at the most severe  
11 outcomes, and that includes data on hospitalizations.  
12 So the way in Ontario we show it is hospitalizations  
13 but not including admissions to ICU units, and then we  
14 also look at the proportion of people that are in --  
15 have been to the ICU unit, and then we also have data  
16 on deaths. And so when we look at these outcomes, so  
17 as we've seen this huge spike in the -- massive spike  
18 in the cases of, again, I don't want to say COVID-19  
19 but certainly infection, evidence of infection from  
20 SARS-Coronavirus-2, of which a proportion of those  
21 would have COVID-19, we have simultaneously seen,  
22 again, an uncoupling of the most severe outcome. The  
23 number of people admitted into the ICUs and hospitals  
24 has been lower, so despite record cases, it's been  
25 lower than the previous waves. All the more -- most  
26 severe outcomes have been reduced. Again, so I

1 highlight this shows an uncoupling of this idea of  
2 infectivity and the most severe outcomes of the  
3 disease.

4 And this is important as well because -- well,  
5 yeah, I guess I'll leave it at that, yeah. So using  
6 public health data, so, again, I can't use that to give  
7 you a specific infection fatality rate, current update  
8 of one, but all I -- what I can tell you is the same  
9 public health data that existed when this 0.15 percent  
10 infection fatality rate was estimated, right, compared  
11 to the public health data available now, the public  
12 health data is clearly showing this is less dangerous.  
13 So, again, I highlight that it -- the current rate  
14 would be less than .15 percent, but I can't  
15 definitively state what it would be.

16 Q I want to make sure we understand this, because I don't  
17 think any of us are mathematicians, with a 99.85  
18 survivability rate, if 1,000 people were actually  
19 infected, statistically, how many of those would die?

20 A The -- so you're saying 1,000?

21 Q 1,000, yes.

22 A Okay, and this is with the assumption of .15 percent of  
23 infection fatality rate? Is that what you're --

24 Q Yeah, exactly.

25 A -- wanting me to do? So that would be -- so 1.5 [sic],  
26 and based on basic math, if we round up at a decimal

1 point of .52, two people. So I guess the more accurate  
2 number, therefore, would be you would have -- because  
3 rounding up actually has a substantial -- you're  
4 increasing the outcome by -- what is that -- by a  
5 third, so 2,000 people infected. In fact, in early  
6 2021, you would have expected 1 to die.

7 Q Okay so if 10,000 people are known to be infected,  
8 statistically, 15 of those would be expected to die?

9 A Yes -- back in 2021, early 2021. Not --

10 Q Okay --

11 A -- now, not now. It would be -- it would be --

12 Q Right.

13 A -- likely be much lower, but how much lower I can't say  
14 definitively.

15 Q Now, you obviously touched on this, but the next thing  
16 I wanted to ask you is about the issue of endemic,  
17 because you touched on this in your report. Now, I'm  
18 now in Section 6 of your report. I'm not necessarily  
19 going chronologically through your report, but the  
20 issue of endemic, first, can you help us understand --  
21 because I know you used that term -- can you help us  
22 understand what "endemic" actually means comparative  
23 to, let's say, "pandemic" or "epidemic"?

24 A Yeah, obviously with the timing. So an epidemic and a  
25 pandemic, you're dealing with an acute scenario,  
26 meaning short time frame, where an infection is

1 occurring and spreading, and the difference between an  
2 epidemic and a pandemic is the scope, the scope of the  
3 problem.

4         So with an epidemic, the scope is much -- on  
5 a much smaller geographical scale. So, for example,  
6 with the SARS -- the original SARS, Severe Acute  
7 Respiratory Syndrome by Coronavirus that caused the  
8 disease SARS, which we called, you know, at that time,  
9 the Severe Acute Respiratory Syndrome was the disease,  
10 that was -- because it was much more limited scope,  
11 that was declared in Canada to be an epidemic.

12         So a pandemic is all dealing with the scope. So  
13 if it's on a much broader scale, and in this case, you  
14 know, if that -- it's on a global scale, then it gets  
15 declared as a pandemic. If the dangerous, right, the  
16 most dangerous outcome -- because, again, I have to  
17 highlight, so, for example, if you have a common  
18 microbe that's part of the human microbiota, that's  
19 something that can readily be transmitted potentially  
20 around the globe, but if it has no dangers associated  
21 with it, although it has that same scale, it's not  
22 going to be defined as a pandemic.

23         So that's the two things, there has -- there's two  
24 things for -- to declare something a pandemic: There  
25 has -- it has to meet a certain threshold of danger and  
26 a scope, a very large scope of the problem. But, yeah,

1 so that's dealing with things in the acute or  
2 short-term.

3 When we talk about something being endemic, we're  
4 talking about something long-term. So the -- most of  
5 the Coronaviruses that we're used to, the ones that  
6 cause the common cold, like I would argue the Omicron  
7 variant is likely one that -- and the way it's behaving  
8 is starting to fit largely into this category. They're  
9 what we would call endemic; they're always with us,  
10 right? We're always interacting with them. They're  
11 always causing some form of mild disease.

12 So in that context, you know, we would not  
13 declare -- so a cold definitely, even in terms of the  
14 scope of a cold or the flu -- and the flu is a good  
15 example. The reason why the flu sometimes meets this  
16 threshold of an epidemic or pandemic is because the flu  
17 can be very dangerous, right? So we've heard of flu  
18 epidemics, and we -- you know, we -- many of us now  
19 have probably heard, in one form or another, of the  
20 Spanish flu outbreak in the early 1900s, right, which  
21 was declared a pandemic. And we have had a pandemic  
22 flu also declared as swine flu in the 2000s, back  
23 around 2009. So, you know, that's because they can  
24 spread on a large scale. But the flu gets called an  
25 epidemic or a pandemic because it is also associated  
26 with high fatality rates in those cases.

1           Now, when it comes to the common cold, again to  
2     differentiate, the common cold spreads at least as  
3     readily as the flu. So in terms of scope, it would fit  
4     into the definition of an epidemic or a pandemic, but  
5     it's never going to be declared as such because it  
6     never reached the threshold of danger.

7           So these viruses -- so what "endemic" means is if  
8     it is -- essentially in layman's terms, it would mean  
9     these are viruses that we basically have to learn to  
10    live with over the long term. So SARS-Coronavirus-2,  
11    we can see we've tried -- we've tried all kinds of  
12    things to stop it for two years. Not only have we  
13    failed, it's -- I mean, it's spread among people better  
14    than it ever has in the two years in the form of the  
15    Omicron variant, right? And that, we just have to show  
16    the number of cases. So that -- the virus has been  
17    very successful in bypassing all of our attempts to  
18    stop it.

19          The ideal, the ideal outcome, if you're dealing  
20    with something that causes disease and you identify it  
21    at the epidemic or pandemic stage, meaning short-term,  
22    the ideal outcome, right, and the goal that we would  
23    always have would be to eradicate that pathogen so we  
24    never have to deal with any risk of illness from it,  
25    again.

26          But an endemic agent is one in which we have

1 failed to eradicate it, and the virus now is able to  
2 bypass any and all the barriers that we put up to try  
3 and stop it. So there's no question, no question, in  
4 my professional opinion, this virus has all of the  
5 characteristics of an endemic pathogen now, including  
6 the fact that we can already define it as being with --  
7 having been with us for long term, right? It has now  
8 existed, and we don't know how long it existed before  
9 it was identified, but if we go with the starting point  
10 being when it was first identified, it's now been with  
11 us for over two years. That alone suggests it's  
12 endemic.

13       The fact that our most recent wave was just  
14 completely out of control in terms of cases, not in  
15 terms of danger, again, show this is going to be  
16 endemic, and the reason -- there's several biological  
17 reasons. These are viruses that are amenable to  
18 mutation. The Coronaviruses will just constantly  
19 mutate. That's why we keep getting the cold.

20       Corona -- and to explain this, the reason is in  
21 order for a virus to propagate, it has to copy itself.  
22 When these viruses copy themselves, they actually -- so  
23 you think about this as -- literally if somebody is --  
24 if you want to photocopy -- the way I like to explain  
25 this, say you have a report, a very large report of  
26 hundreds of pages that you want to copy, if you put it

1 on a modern state-of-the-art photocopier, almost all  
2 the time, you are going to get a complete, you know,  
3 100 percent accurate replication of that document,  
4 right, the copy that you pull up; you're going to have  
5 all the pages copied. Many of us had familiarity with  
6 some of the, as we were developing this technology, of  
7 not having to put one page at a time on top of the  
8 glass and copy, many of us have had the experience of  
9 the early versions of doing the fully automated  
10 copying, and it would be very frustrating, because you  
11 would end up with, at the end, you would find out, as  
12 you take the document back to your office and you start  
13 going through it, you're missing page 7, and you're  
14 missing page 132, there was a paper jam, you know, that  
15 occurred or something.

16 So that's what these viruses are like, when they  
17 copy their genetic materials, they actually have built  
18 in to -- and this is a survival mechanism -- they have  
19 built in, so that copying process, and it's an  
20 error-prone process, intentionally error-prone. It  
21 incorporates mistakes into the copying the genome, and  
22 that's so you end up with different versions of the  
23 virus that can probe the environment that it's in, and  
24 if that change confers an advantage to the survival of  
25 the virus, that subspecies of the virus will start to  
26 dominate. That's how this happens. And so that's why



1 we're always going to -- we're never going to be able  
2 to stop these viruses from mutating, and that's why  
3 they become endemic.

4       So for the flu, for example, the flu is actually  
5 way better than Coronaviruses, including  
6 SARS-Coronavirus-2, at mutating. It mutates much more  
7 rapidly. That is why our flu vaccines are so  
8 ineffective from year-to-year, because if we were  
9 dealing with the same strains that we were dealing with  
10 the previous year, our vaccines would actually be much  
11 more effective, because they're based on last year's  
12 strains. The problem is we're using last year's strain  
13 to educate our immune system to deal with a much  
14 different-looking current strain.

15       So it's not as extreme as that with the  
16 Coronaviruses, but they do the same, just a -- slower,  
17 slower. And so that means that, almost certainly, we  
18 are going to be, whether vaccinated or not, no matter  
19 what we do, I can pretty much guarantee, and no matter  
20 whether we have been naturally infected or not, I  
21 pretty much guarantee we are all going to be infected,  
22 for the rest of our lifetimes, with the  
23 SARS-Coronavirus-2 repeatedly. It won't be as often as  
24 the flu, because, again, it takes longer to mutate, so  
25 I -- but we will all be infected and reinfected.

26       But, again, based on the course that it's been

1 following, that if it's like these other pathogens,  
2 they will be relatively mild to moderate infections,  
3 just like all of the other endemic respiratory  
4 pathogens.

5 And what we'll have to be diligent about is, like  
6 all these other respiratory pathogens, we will have to  
7 be diligent to look after the very high risk but  
8 limited demographics. So, for example, even the common  
9 cold can potentially be dangerous, for example, in  
10 babies and the frail elderly, right? So that's what we  
11 mean by endemic.

12 And in my professional opinion, this virus is now  
13 endemic, and it's going to be with us likely for the  
14 rest of our lives. I don't see how now we can possibly  
15 render it extinct from the globe.

16 Q So does that mean all of our measures right now to  
17 attempt to prevent the spread of SARS-CoV-2 are  
18 completely futile?

19 A There's one thing -- well, so I can tell you, the most  
20 dominant benefit -- beneficial, you know, strategy that  
21 anybody can use with any respiratory pathogen,  
22 including SARS-Coronavirus-2, is stay home when you're  
23 sick. That applies to any of the respiratory pathogens  
24 that we have, and so we -- well, that's the one thing  
25 that I really, really, really, really hope the global  
26 population will have learned from this declared

1 pandemic is just what I call is basic social hygiene.  
2 This has been the most frustrating thing for somebody  
3 who has expertise in this area.

4 I see it in my workplace, and, I will admit, I'm  
5 guilty as charged at times. As a faculty member, there  
6 are certain deadlines that we absolutely -- I mean, we  
7 can't push them off. So, for example, I have to get  
8 grants in order to pay my research team and run the  
9 research that I do. So if there is a grant deadline, a  
10 submission deadline, and I say, I'm sick, I'm -- so,  
11 therefore, I'm not going to go into work, and I'm not  
12 going to submit this grant; the granting agency is  
13 never going to give me an extension. I lose the  
14 ability to get that funding.

15 So there are times -- and some households, maybe  
16 both parents work, so it's very inconvenient if you  
17 wake up on a given morning and your child is quite  
18 sick. As long as I -- you know, I don't think most  
19 parents aren't going to send their kids in if they  
20 think it's literally going to be detrimental to their  
21 physical wellbeing, they're -- you know, they're going  
22 to collapse or something. But if they wake up sick,  
23 clearly sick with signs or symptoms, it can be very --  
24 very difficult to -- you know, very inconvenient to try  
25 and find childcare or cancel your own work schedule so  
26 that you can stay home.

1           And so many of us have gone into the public with  
2   these -- with all of these pathogens that we're talking  
3   about, the flu and everything else. One of the reasons  
4   why it spreads so rapidly in all of our populations and  
5   workplaces and schools is because we don't acknowledge  
6   the fact that we are actively sick, that we're sneezing  
7   and coughing, or that we have our kids that are  
8   sneezing, coughing, and we send them into these areas,  
9   and, of course, that's going to spread the pathogens.  
10   Sick people spread pathogens. That's how it works.

11           So what I like to highlight as an immunologist is,  
12   for some reason, we've gotten into this mindset that  
13   somehow asystematic people are doing this, spreading.  
14   And this is there the -- I would say this is where the  
15   biggest disagreement -- this is the crux of the whole  
16   problem when it comes to some earlier interventions,  
17   like masking, is what is actually happening with  
18   asymptomatic individuals -- I can explain that, if you  
19   want, at another time, because it's not -- just so  
20   you're not -- directly relevant to this question, but  
21   keep that in mind, because prior to two years ago, the  
22   term that we used instead of asymptomatic is we used  
23   the term "healthy people". Right, if somebody didn't  
24   have signs or symptoms of illness, I mean, if you go --  
25   so, you could be asymptomatic with anything, if you go  
26   to a physician and you're asymptomatic, and they say,

1     Okay, what are your signs, you know, what are your  
2     symptoms. And I mean, so they can assess signs, as  
3     what we mean by signs. Signs is something somebody  
4     else can see that provides evidence that you're sick.  
5     Symptoms are things that you feel that can provide  
6     indications that you're sick. So signs and symptoms  
7     are used.

8             So a physician cannot see a lot of your symptoms,  
9     you have to describe them. So, for example, if you're  
10    feeling pain, unless it's severe pain, a physician  
11    isn't going to be able to see that you're in pain,  
12    unless it's severe, and then we might need facial  
13    grimacing that let's them know. Otherwise, you can  
14    have a pain that they have no idea, they have no idea,  
15    you have to tell them that.

16            So that's why -- if you were traditionally to go  
17    to a physician and say, I have no symptoms, they're not  
18    going to investigate you for a disease, right, because,  
19    again, I'd like to highlight, people who are  
20    asymptomatic are healthy.

21            So what I would -- so this is the interesting  
22    thing, what I would say is the number one thing that we  
23    have done to prevent this has been to not allow sick  
24    people to go around others. So the one thing I would  
25    say has worked very well is the screening, the  
26    screening that ultimately got implemented, which

1 basically is asking, Are you sick, right? And if  
2 you're sick, don't go into work.

3 So I would agree, scientifically, rock solid data,  
4 because if you're not -- if you're coughing and  
5 sneezing, of course, you're going to be spreading a  
6 pathogen, and if you're not, you can likely go in -- go  
7 in to work.

8 So that's the only thing, that stay at home if  
9 you're sick that I would say -- and I would say this is  
10 going to be effective all over the place. What people  
11 don't realize is, this is fascinating, I would --  
12 because I think most of you are in Alberta, so go to  
13 your Alberta public health website and start looking at  
14 the SARS-Coronavirus-2, look at the -- on the  
15 SARS-Coronavirus-2 data page, they actually have a  
16 link, the influenza page, go there, and I encourage you  
17 to look at the cases.

18 What you will see is huge waves of the flu. They  
19 only have the last five years currently showing  
20 publicly on your web page. 5, 4, and 3 years ago, they  
21 show the classic huge waves of the flu coming through  
22 Alberta. And you know what's happened in the last two  
23 years? No flu, no cases of the flu. It's not because  
24 the flu disappeared; it's because we have told people,  
25 If you're sick, stay home. Right? Because we have  
26 always left the flu, for some reason, and encouraged

1 people to go to work and go to school, or at least not  
2 discouraged them enough when they're sick, and the flu  
3 kills people, and the flu is dangerous.

4 So to me, I hope and pray that when this is all  
5 done, the people will remember, You know what, if  
6 nothing else, if I'm sick, don't go around other  
7 people. That is the simple -- that is the -- that is  
8 going to help public health enormously moving forward  
9 with all infectious agents that we've ever been living  
10 with. So, yeah, that's the number one thing.

11 And I know that those of you who are here today  
12 specifically are most interested in masking, so let me  
13 comment on the masking specifically. I am -- masks do  
14 quite a good job at preventing the spread of infectious  
15 diseases under a certain circumstance, when people are  
16 sick.

17 And (INDISCERNIBLE) so -- (INDISCERNIBLE) -- so I  
18 told you, I have to admit, myself, I am guilty as  
19 charged about going in to work sometimes when I'm sick.  
20 One of the things I try and do is I do try and isolate  
21 myself in my office. I do tell people, if they come to  
22 my office, I do tell people -- if they come to my  
23 office and knock on my door, I tell them, You might  
24 want to chat through the door, I'm sick. You know, and  
25 when I do have to go around people, I will wear a mask.  
26 I have done that, when I've gone in to sick -- and to

1 work sick previously, because these masks are  
2 reasonably well-designed to capture the large water  
3 droplets that come out of our respiratory system when  
4 we cough and sneeze.

5 The only way -- so if somebody's not sick, that  
6 means they're not coughing and sneezing, so the only  
7 theoretical way that a virus then could come out of our  
8 respiratory tract is through what we call aerosols,  
9 which are super tiny droplets that the cloth masks and  
10 surgical masks that we have been using, they're not  
11 designed to filter that out, and so this is an  
12 intuitively -- like we even know this intuitively.

13 If you've ever been really sick, so I know this  
14 because I have been respectful of those around me, and  
15 if I'm actively coughing and sneezing, I will wear a  
16 mask if I feel that I have had to go around people  
17 because I don't want to miss a critical deadline. And  
18 I'll also tell you from my own experience, those things  
19 end up slimy and disgusting inside the mask if you are  
20 doing a lot of coughing and sneezing. Why? Because  
21 they're very good at capturing those large water  
22 droplets, and so you have to change the mask quite  
23 quickly. I will also tell you that if I'm not coughing  
24 and sneezing, they don't get wet and slimy; they're not  
25 capturing robust amounts of the moisture that's coming  
26 out of our lungs.



1           There's a huge amount of moisture that comes out  
2 of our lungs during regular breathing throughout the  
3 day. We know -- just that's what happens. So in  
4 Alberta, you'll notice like in Ontario, especially  
5 during the winter, one of the phenomena are the  
6 humidity goes way down, right? Cold air humidity tends  
7 to be very low, and so if you don't have a humidifier  
8 in your home, typically what happens during the winter  
9 is you'll notice that when you wake up in the morning,  
10 you will tend to have a much dryer throat than at any  
11 other time of the year, and that's because there's so  
12 much moisture that's given off, and all night long,  
13 it's the air is wicking moisture as you breathe it out,  
14 and your body's actually having trouble replenishing  
15 it. You end up much more dehydrated in the morning  
16 than -- and during the winter than you do at any --  
17 during any other seasons. So there's a lot of  
18 moisture, and the fact that it's not getting soaking  
19 wet tells you that. So, again, a long answer, but I  
20 want you to fully understand.

21           So to summarize, in terms of what's been  
22 implemented, I think the number one effective strategy  
23 has been keeping sick people away from others, and  
24 hopefully that continues, and the masking. So if  
25 people were to have to go around other people when they  
26 have SARS-Coronavirus-2, masks would definitely help

1           prevent the spread of SARS-Coronavirus-2.

2           But in healthy people, I have never been able to  
3           recommend masking of people who are not actively  
4           coughing, sneezing, you know, who are not sick. So, in  
5           other words, if you pass the screening that you're  
6           supposed to do every morning before you go in, in my  
7           professional opinion, there's nothing a mask is going  
8           to do to protect yourself or others around you at that  
9           point, because you are not -- you are not and nor are  
10          those around you expelling the type of  
11          infection-spreading water particles that spread  
12          disease.

13    Q    So symptomatic masking is rational and effective?

14    A    100 percent. I believe -- again, I hope that that will  
15          be highly encouraged for everybody around the world  
16          moving forward, that if they are going to make the  
17          decision to send their child to school when sick or if  
18          they're going to go in to work when sick, for the  
19          respect of the health of others, yes, put on a mask,  
20          100 percent.

21    Q    But is asymptomatic irrational and ineffective?

22    A    Yes, for the reasons that I said, because then you're  
23          not spreading those large droplets that masks are  
24          designed to stop.

25          Like -- so a lot of people don't realize, like  
26          when you think about even a surgical mask and you think

1 about a surgeon, right, there's been studies that have  
2 looked at this, this context, what people don't realize  
3 is what those surgical masks are designed to do. It  
4 doesn't sterilize your breath in any way, right? What  
5 it does is it stops any large droplets. When a surgeon  
6 is working over a surgical area, an open wound, it's  
7 making sure that -- now, this is the other thing, any  
8 surgeon who is doing surgery ideally should not be  
9 doing the surgery if they are sick. But literally what  
10 they're there for is to stop large water droplets.

11 It would be to -- and literally, for example, one  
12 of the reasons for wearing the mask is drops, spittle.  
13 Hey, we've all experienced that embarrassing time where  
14 we're talking, and then, all of a sudden, a little bit  
15 of spit comes out, and we're like, oh, I hope nobody  
16 saw that, right? That's literally one of the reasons  
17 why they wear the mask, to make sure large water  
18 droplets, including spittle, don't drop out into the  
19 surgical wound. So they're not designed, like I said,  
20 again to filter out with any kind of efficiency the  
21 aerosols, which are these super tiny water droplets  
22 that are far tinier than the pore sizes in these masks.

23 And so, again, to highlight this, there's  
24 something else that's important, because, again, this  
25 comes back to the idea of symptomatic versus  
26 asymptomatic or what I would call healthy people. Now,

1    what happens is in order for somebody to get sick, they  
2    have to initially be infected. As I pointed out, the  
3    infection does not necessarily equal sickness or  
4    disease. And the other thing that's important to note  
5    is infection certainly does not mean immediate disease.  
6    Because you have a pathogen in your body, so you might  
7    be -- so when people get sick, this is what happens,  
8    when we do get sick, this is the sequence of events:  
9    We have to be exposed to a certain threshold of the  
10   pathogen, which is not once. Our bodies, we have  
11   innate -- like we have physical barriers that  
12   immediately protect us from infection. For example,  
13   one of the things we have in our airways, our airways  
14   are lined with mucous. That's one of the reasons why I  
15   just said we have so much moisture coming out of them,  
16   we're constantly covering all of the membranes  
17   throughout our respiratory tract with mucous.

18        So if we have a pathogen come into our body, for  
19   example, one of the immediate lines of defence is that  
20   mucous, it will get buried in the mucous, and that  
21   mucous constantly gets removed from the body. Even if  
22   you're healthy, if you never clear your throat, you're  
23   eventually going to have to clear your throat because  
24   our airway is full of -- or your cells with these  
25   specialized hairs on them, we call them cilia, and  
26   their job is literally to, like fingers, to move this

1 mucous up. Because if you think about it, since our  
2 airways are constantly producing mucous, if we didn't  
3 have any way of getting that mucous out of the body,  
4 under gravity, the force of gravity that would migrate  
5 down into our lower airspaces, and we would literally  
6 drown. They would fill up our lower airways, and we  
7 would no longer be able to facilitate gas exchange. So  
8 these little hairs push the mucous up and out of our  
9 body. That's why, you know, it may end up getting --  
10 accumulating in our throat so we can cough it out, or  
11 if it's in our nose, we'll end up, you know, with the  
12 mucous accumulating where you've got to blow it out of  
13 our nose.

14 Now, if it's a pathogen that has been able to  
15 bypass those barriers, our immune system has set up  
16 what are called sentinel cells. These are cells that  
17 are strategically located at critical entry points for  
18 pathogens into the body, so they're distributed all  
19 throughout our airways underneath the mucosal surface,  
20 below that -- you know, the mucous that's on the  
21 surface of our cells. And if a pathogen can get by  
22 that, these sentinel cells very quickly identify that  
23 there's a pathogen and start our immune response to  
24 start clearing this.

25 Now, there's two parts to an immune response. One  
26 is we call it the innate response. So, first of all,

1 we have to understand, actually there's three  
2 technically in terms of timing. The one is physical  
3 barriers that I just talked about like the mucous or  
4 cell barriers, right, that a virus would have to get by  
5 to get into the body. Those are always present. There  
6 is no immune response that has to be mounted. That's  
7 why, for example, burn victims, that they lose a large  
8 amount of their skin, are highly prone to infections  
9 because they've lost that physical barrier.

10 Now -- so in the lungs, these sentinel cells, if  
11 the pathogen gets past these initial physical barriers,  
12 and so that's why you have to have a certain threshold.  
13 One viral will not cause disease; you have to bombard  
14 these natural barriers with high numbers of the virus,  
15 so you have to have it delivered to you, you have to  
16 inhale a threshold dose, and that changes depending on  
17 the infectivity of the virus.

18 But so you have to -- if you get that threshold  
19 dose and your physical barriers can't deal with it, you  
20 have those sentinel cells that will immediately start  
21 detecting that virus and starts penetrating in -- and  
22 starts infecting cells past those physical barriers,  
23 and that they will start -- and trigger a whole series  
24 of events that lead to what we call innate immune  
25 responses, so those are very rapid, short-term  
26 responses. And then if they fail to clear the

1 pathogen, then we mount the types of responses that  
2 we're trying to get with these vaccines.

3 We call them acquired or adaptive immune  
4 responses, and the key effector mechanisms there, the  
5 key weapons are T cells, which could kill off  
6 virus-infected cells so they can't serve as virus  
7 replication factories and antibodies, which can block  
8 viruses from getting into other cells. Now, those  
9 latter things can take up to -- it takes about two  
10 weeks for those T cell and antibody responses to peak,  
11 so the innate response is very fast.

12 And so if you have an infection of the lungs, one  
13 of the first things these sentinel cells start to do in  
14 terms of communicating is they get these cells to  
15 produce the mucous, to start producing lots of it,  
16 because it -- we've got a virus that's bypassing this  
17 barrier, so let's make this barrier even more rigorous,  
18 a thicker mucous layer. And so that's why when we get  
19 an infection, as the virus starts replicating -- this  
20 is important -- so, in other words, early on in  
21 infection, yes, so if we were to take somebody who was  
22 infected early on, would we be able to detect the  
23 virus? Yes. Is that virus a replication-competent  
24 virus particle? Yes. Is it going to be able to infect  
25 and cause disease in other people? No, for two  
26 reasons: (a), a person has to reach a threshold level

1 in your own body such that you're delivering such a  
2 large enough quantity of the virus for another person  
3 to inhale that threshold dose to get them sick. The  
4 second reason is you could even have potentially a  
5 large amount of the virus in your body, but if you're  
6 not sending it out of your body, you're not going to be  
7 able to infect anybody else, and so this is the thing.

8       So our immune system -- so viruses take advantage  
9 of this early immune response for the transmission  
10 process. So because what happens is this mucous  
11 secretion starts increasing, and so that means we have  
12 a lot more mucous being brought up into our throat and  
13 into our -- and our nasal passages, right, producing a  
14 lot more of this. And so the body, to try -- you know,  
15 what it wants to do is get rid of as much of the viral  
16 particles as it can, because the fewer virus particles  
17 it has left in the body, the more easily it's going to  
18 be able to clear that infection.

19       And so the way our immune system gets it out of  
20 the body is it causes us to cough out all this mucous  
21 that's accumulating, all the liquid that's full of  
22 these viral particles, and we sneeze it out of our  
23 nose. That's literally -- we're trying to dump as much  
24 of the viral particles out of our body as we can. That  
25 is when we become an infection hazard to other people.  
26 And that's why I say these masks are awesome at



1   stopping the transmission when this transmission is --  
2   when there's the high risk of this transmission, and  
3   that's when people are actively coughing and sneezing.  
4   As long as you have the virus contained in your own  
5   respiratory tract, you know, you're not doing that.

6           So in theory, you can -- so this is actually kind  
7   of interesting. Much more so than viruses like the  
8   influenza viruses that we live with, the  
9   SARS-Coronavirus-2, there's been a lot of literature  
10  suggesting, therefore, that one of the ways the virus  
11  might spread is through aerosols, right? And so  
12  that's -- because if you're not coughing, and you're  
13  not sneezing, then the only way the virus theoretically  
14  can get out of your body is being carried on the small  
15  water droplets that come out of our -- come out with  
16  our breath, right, with every exhalation we give.

17           So then that means that the masking, therefore, if  
18  somebody is not symptomatic, the only thing that it  
19  could potentially have to stop in terms of the virus  
20  leaving the body would be these aerosols. And like I  
21  said, while -- you know, I've got lots of figures and  
22  pictures to show that, you know, the pore sizes of  
23  these masks are not designed, they're not nearly small  
24  enough to stop these viral particles from getting  
25  through, that the water droplets that could potentially  
26  have the virus on them, the pores are way, way, way too

1 big to stop that.

2 Now, granted, so, for example, I noticed in  
3 Dr. [REDACTED] report that he mentioned that -- actually  
4 maybe it wasn't even his report, but some have pointed  
5 out that it -- and I agree, it's not like it's one  
6 pore, if the virus gets past one pore, it's out of the  
7 mask. So, example, the surgical masks actually have  
8 three layers. So what it is more like is it's having  
9 pores all offset from one another. There's a whole  
10 bunch of pores that the virus would have to navigate.  
11 It would be like going through a maze.

12 So what these masks can do with aerosols is it can  
13 slow down the transit time it takes to navigate this  
14 maze of large pores that are all offset before it  
15 leaves the mask, but it doesn't stop it from leaving  
16 the mask. And, in fact, what ends up happening, this  
17 is the predominant thing, this is also in my figures is  
18 because it has to navigate this sort of complex maze to  
19 get through all the open doorways, that provides  
20 resistance, and any gas will follow the path of least  
21 resistance. And that's exactly why when we wear our  
22 masks, the vast majority of what we exhale never even,  
23 unfortunately, gets through the filtering material,  
24 again, which isn't designed to filter out these  
25 aerosols, but rather bypasses it.

26 And we've all seen that phenomenon; I mean, you

1 know, I wear glasses, especially now is not a great  
2 time, so I encourage anybody, put on a mask with  
3 their -- so what's especially -- what I especially  
4 recommend, if you -- so I have this every time I go to  
5 the grocery store, go outside for a little bit, let  
6 your glasses, you know, accommodate to the temperature  
7 around, right, so they get nice and cold; then go into  
8 a store, go into a warm location and put on your mask,  
9 right, put on your mask and step through the door into  
10 a warm location. Now your glasses are such that any  
11 moisture that's coming out is going to readily  
12 condense. I find it so frustrating because I can  
13 hardly shop. It takes me about 10 minutes before I can  
14 start shopping because I'm constantly taking my glasses  
15 off and wiping them because of all the fogginess  
16 happening. That's the aerosols, and that's, of course,  
17 because of the mask. Even with the pinch piece, if you  
18 have a good mask, a surgical mask that have the middle  
19 pinch piece, very difficult to get a seal properly  
20 around your nose. And so when you exhale, because  
21 we're slowing down the progress of the air through the  
22 filtering material, it'll just simply exit alongside  
23 the nose; that's where we see the fogging.

24 Now, the other place a lot of people don't realize  
25 is even the surgical masks are not designed to fit  
26 properly around -- by -- in front of the ears, and so

1     you almost always have these large, relatively large,  
2     triangular gaps at the back of the mask where it loops  
3     over the ears. And so literally when we exhale with  
4     these masks, the vast majority, when we exhale, fires  
5     up past the nose and out past the ears, and so there is  
6     no filter. And then, like I said, the limited amount  
7     that does come through the filter, it's not designed to  
8     stop these aerosols.

9             Like I said, if it did -- like, again, I can take  
10    off my glasses right now, and, for example, watch  
11    (UNREPORTABLE SOUND), I just breathed on my glasses,  
12    and you can probably see it's fogged quite a bit  
13    compared to my other lens, right? That's one exhale.  
14    So you can imagine if I was wearing a -- had been  
15    wearing a mask and go -- in some cases, I've had to,  
16    you know, because of these requirements, if I'm wearing  
17    a mask, there's not much aerosol coming out in just one  
18    breath. You can imagine how much liquid would  
19    accumulate in your mask if it is, in fact, filtering  
20    that out. If it's filtering it, it means it has to  
21    stop them from getting out in the air, from going  
22    through. If it's not getting into the air, then it's  
23    staying in the mask, the masking material. But I can  
24    wear these masks, if I'm not coughing and sneezing, I  
25    can wear them, and my mask will not get wet.

26             So, again, it's just intuitive to the point

1    where -- I like to use -- I'll just finish with this,  
2    an example which I think is helpful to consider this.  
3    Early on in the pandemic, in fact, every time I went to  
4    get my hair cut, and thankfully I was able to, you  
5    know, after quite some time, because my hair was  
6    horrible, like many of us, for the longest time, but,  
7    you know, when I actually first went and understanding  
8    this, out of respect for the hairdressers, I tried to  
9    explain this to them and actually asked them if they  
10   wanted me to take my mask off, because if they were  
11   worried about aerosolized transmission, right, the mask  
12   for filtering this stuff, I tried to point out to them,  
13   If it's my breath that you're worried about, do you  
14   want me to take my mask off. Because they always cut  
15   my hair from behind, right, and that way, if they're  
16   afraid of my breath, I'm directing it away from them.  
17   And they -- you know, but, no, because of the policy,  
18   said no, no, no, no, everybody has to be masked to  
19   keep -- you know, to keep us safe, and I tried to  
20   explain.

21           And so the best way is -- again, to envision this,  
22   again, if you go out in the winter time, cold air, and  
23   you put your mask on, you'll see exactly what I'm  
24   saying -- I put a picture of this in my report --  
25   you'll -- because you can see these aerosols, because  
26   these tiny water droplets, when it's really cold, will

1       condense, right? Again, if water -- the gaseous water  
2       as -- when it's cool, it will turn into liquid. And so  
3       winter time is a great time because you can see the  
4       aerosols condensing in the cold air around you. And so  
5       when you breathe out in the winter, you'll see the --  
6       it blasts up, you see this fog essentially as the  
7       aerosols are condensing, blasting up past your nose and  
8       out past your ears just like I said.

9               And I've shown people, if you're a hairdresser,  
10       what it does is it encases your head in this huge cloud  
11       of aerosol, all right. I've tried to point this out to  
12       my hairdressers is that if you are genuinely afraid of  
13       my breath, you know, as an asymptomatic individual, do  
14       you not realize that the whole time your hands are  
15       immersed in my aerosols by you forcing me to blow them  
16       around my hair instead of away from you.

17              So I'd just like to highlight that, because,  
18       again, that's kind of science meeting the reality that  
19       we currently have and how the two just simply don't  
20       align. So I'll --

21       THE CHAIR:                               Dr. --

22    A       -- just stop there.

23       THE CHAIR:                               -- yeah, Dr. [REDACTED] I think  
24       it's now 10 after 12, Mr. Kitchen. I think it's time  
25       for a break.

26       MR. KITCHEN:                             Yes, I agree, however, I do

1 want to ask one question.

2 Q MR. KITCHEN: And, Dr. [REDACTED] I invite you  
3 to answer this in 5 minutes or less, and we can come  
4 back to it after the break, but I want to ask this  
5 question, because it's connected to the conversation  
6 we've had. Dr. [REDACTED] so you've said now that where  
7 we're really at is endemic, but I think the burning  
8 question we all have is was SARS-CoV-2 ever actually a  
9 pandemic? Right? You said declared pandemic, and you  
10 said that there was a (INDISCERNIBLE) severity for it  
11 to actually be really a scientifically a pandemic. So  
12 was SARS-CoV-2 ever a pandemic, and if so, when did it  
13 cease being a pandemic scientifically?

14 A Okay, yeah, that's an interesting question, but I can  
15 keep this short, yes. Sorry about that, you're getting  
16 the typical, you know, scientific, we like to make sure  
17 that all the details are relayed. But in this case,  
18 so -- this is -- the pandemic was declared again,  
19 assuming that the -- sorry, [REDACTED] --

20 (AUDIO/VIDEO LOST)

21 MR. [REDACTED] Sorry, can we just -- sorry to  
22 interrupt, Dr. [REDACTED] -- I think we've lost a Tribunal  
23 Member --

24 A Oh, okay.

25 MR. [REDACTED] -- Dr. [REDACTED] I don't see  
26 her. Could we just --

1 MR. KITCHEN: Well --

2 MR. [REDACTED] -- (INDISCERNIBLE) for a

3 minute. Oh.

4 MR. KITCHEN: Dr. [REDACTED] if you need us to

5 break, we can, you know, we --

6 THE CHAIR: Dr. [REDACTED] is here.

7 DR. [REDACTED] No, yeah, I came back, yeah,

8 sorry.

9 A Okay, great --

10 THE CHAIR: Thank you, Mr. --

11 A -- I don't think I said anything --

12 THE CHAIR: -- [REDACTED]

13 A -- that you missed, Dr. [REDACTED] Did -- what was it --

14 yeah, I think I was just starting to answer, so I'll

15 just start again --

16 THE CHAIR: Sure.

17 DR. [REDACTED] Yeah, just when you were going

18 to answer the question, yeah.

19 A Oh, okay, great.

20 DR. [REDACTED] Thank you.

21 A Yeah, so this pandemic was declared with, again, on the

22 initial concern that the infection fatality rate might

23 be as high as 10 percent, and, again, as I've said, an

24 infection fatality rate certainly between 1 and 10

25 percent. I don't think there's very many scientists

26 around the world that would agree that that would be a



1 pandemic situation provided the pathogen is genuinely  
2 dangerous, because then you're, you know, talking  
3 about -- well, the infection fatality rate, that is an  
4 indication that it's going to be dangerous to far too  
5 many people.

6 But the reality is, just like I said, as we have  
7 come to appreciate the size of that denominator, which  
8 we didn't know at the beginning, we now know that  
9 the -- the real infection fatality rate is in the --  
10 was in early 2021 in the ballpark -- and we're not even  
11 sure it's the full estimate because we don't have a  
12 full understanding of how big the denominator was. But  
13 at that time, it was estimated to be about .15 percent.

14 So to put that in perspective again, that was  
15 dealing with the earlier variants, which is when the  
16 pandemic was declared, in that context. And, again, at  
17 .15 percent, that is not a problem of pandemic  
18 proportions. It is -- it just simply is -- that's a  
19 fact.

20 And so it's not a case -- and then, again, that's  
21 for the entire population. And if we go to the  
22 demographics that we know, which is the vast majority  
23 of the people that are in the -- and the lower-risk  
24 demographics, it would be much lower. Again, I can't  
25 say exactly how much, but it would be lower.

26 So, again, to put that in perspective of .15

1 percent, that is in the same realm as a bad flu season  
2 and -- for which we never declare that to be a  
3 pandemic, despite the fact that, you know, the flu  
4 spreads around the world, nor is it declared an  
5 epidemic, even though it certainly meets that  
6 definition in terms of its spread throughout Canada.

7 Now -- so the thing to understand -- and now, as I  
8 point out, as far as Omicron, it would be even lower,  
9 but that's because there's been some biological changes  
10 as well to the virus, right, that's made it less  
11 deadly. So if I was going at .15 percent, because  
12 that's dealing with the earlier variants where -- which  
13 were relevant when the pandemic was declared, just to  
14 clarify, it's not that we went from an infection  
15 fatality rate of 1 to 10 percent to .15 percent, right,  
16 because that would require some kind of biological  
17 change or effective intervention that's completely  
18 stopping those deaths. And, no, it's the initial  
19 estimate was, the initial concern was that it was that  
20 high.

21 So what happened is the mathematics became more  
22 accurate by the time this paper was published. That  
23 same math applied to the beginning of the pandemic.  
24 So, in other words, if we knew by early 2021, you know,  
25 what the accurate -- if we had those same accurate  
26 numbers at the beginning of the pandemic, the pandemic

1 would not have been declared; it would not have been a  
2 problem of pandemic proportions. As I've pointed out,  
3 the flu is -- equals this, a bad flu season.

4 So, in my opinion, and based on our own policy,  
5 health policies in Canada, this would not have  
6 qualified as a pandemic. It qualified as a pandemic  
7 because we thought the infection fatality rate was much  
8 higher than what it really has been and what it has  
9 proven to be.

10 And the point that I'd like to make as well is,  
11 because a lot of people have probably heard of this  
12 term with the emergency use authorization in Canada for  
13 the vaccines, in Canada, we called it the authorization  
14 for interim use, but it means the same thing.

15 And the reason why that's important is because  
16 that's something -- and this whole -- actually, this  
17 whole concept actually we have right now of overriding  
18 constitutional freedoms, and we're hearing about this  
19 all the time, what a lot of people don't realize is,  
20 you know, this imposition where the Government can  
21 start dictating things and overriding potential  
22 individual, you know, constitutional policy rights is  
23 often -- is based on the perception -- like the impact  
24 of something on Canada. Technically it has to  
25 incapacitate the ability for Canada to operate in a  
26 certain way.

1           So a classic example would be if we were at war.  
2   At war, that's where you can have overriding executive  
3   decisions, right, and if Canada is at risk of being  
4   destroyed, being overtaken, right, being taken over.

5           So at a 10 percent or even 1 percent, that would  
6   have a dramatic impact on Canada, you know, death rate;  
7   that would have a dramatic impact on Canada to be able  
8   to function as a country. But at 0.15 percent, we've  
9   never done -- like I said, we have that for the flu  
10   routinely.

11           So, again, I hope that helps put it in some  
12   perspective. So, again, based on the science, the  
13   publications, my, you know, summarized answer to you,  
14   Mr. Kitchen, is that, with the math corrected, this has  
15   not been an issue of pandemic proportions, true  
16   pandemic proportions.

17   MR. KITCHEN:                   Thank you. We'll leave it  
18   there for lunch.

19           Mr. [REDACTED] I'm fine if you want 45 minutes or an  
20   hour, an hour-and-15, I'm fine either way. As much  
21   as -- we'll definitely finish today. I think we're  
22   going to be a while yet, but we will finish today.

23   THE CHAIR:                    Okay. Let's take an hour;  
24   let's come back at 1:15. I think we all -- we went  
25   straight through from 10:00, so I think an hour is  
26   fine, and we'll see everybody at 1:15.

1           And do we need to caution the witness in any  
2   respect, Mr. [REDACTED]

3   MR. KITCHEN:                    You're muted.

4   MR. [REDACTED]                I've got it now.

5           Other than --

6   THE CHAIR:                    Okay.

7   MR. [REDACTED]                -- he's not supposed to  
8   discuss his evidence with his counsel or anyone else --

9   THE CHAIR:                    Yeah.

10   MR. [REDACTED]               And I'm sure --

11   THE CHAIR:                   Thank you.

12   MR. [REDACTED]               -- Mr. Kitchen has given that  
13   warning in advance.

14   THE CHAIR:                   Okay, we'll see everybody at  
15   1:15.   Thank you.

16   \_\_\_\_\_

17   PROCEEDINGS ADJOURNED UNTIL 1:15 PM

18   \_\_\_\_\_

19

20

21

22

23

24

25

26

1 Proceedings taken via Videoconference for The Alberta  
2 College and Association of Chiropractors, Edmonton,  
3 Alberta

4

5 January 28, 2022

Afternoon Session

6

7 HEARING TRIBUNAL

8

[REDACTED]

Tribunal Chair

9

[REDACTED]

Internal Legal Counsel

10

Dr. [REDACTED]

ACAC Registered Member

11

Dr. [REDACTED]

ACAC Registered Member

12

[REDACTED]

Public Member

13

[REDACTED]

ACAC Hearings Director

14

15 ALBERTA COLLEGE AND ASSOCIATION OF CHIROPRACTORS

16

[REDACTED]

ACAC Legal Counsel

17

18 FOR DR. CURTIS WALL

19

J.S.M. Kitchen

Legal Counsel

20

21

[REDACTED]

CSR(A)

Official Court Reporter

22

23 (PROCEEDINGS RECOMMENCED AT 1:16 PM)

24

THE CHAIR:

We will be back in session for

25

the afternoon, and just before I ask Mr. Kitchen to

26

continue, I just remind you, Dr. [REDACTED] that you are

1 still under oath.

2 A I understand, thank you.

3 THE CHAIR: Okay. All right, Mr. Kitchen.

4 [REDACTED] Previously sworn, Examined by  
5 Mr. Kitchen

6 MR. KITCHEN: Thank you. And, Chair, I'll  
7 try to be mindful of the time. If we get an hour or so  
8 into it, and we're still going, I'll try to find a good  
9 time for a break.

10 Q MR. KITCHEN: Dr. [REDACTED] thank you so much  
11 for all that information prior to the lunch break, but  
12 to continue where we left off, the question I had is we  
13 talked -- you talked about how isolation works, masking  
14 for asymptomatic doesn't work, and then we didn't get  
15 into any other restrictions yet, but I'm very curious,  
16 if isolation at home does work, and you said,  
17 intuitively, it does, can you give some insight as to  
18 why Omicron is still spreading the way it is unabated?

19 A Yeah, so, first of all, just to clarify, meaning  
20 isolating at home when symptomatic, right, when  
21 actually sick. I don't recommend that people have to  
22 stay away from others if they're not sick.

23 So, yeah, in terms of the Omicron, you know, so  
24 it's a multi-faceted answer, I guess. And so, first  
25 of all, I guess I'll start off with the, you know, the  
26 related topic of the vaccines, because that was

1     purported to be -- you know, we were hoping that was  
2     going to be the number one strategy for stopping the  
3     spread of this. And then the idea being, you know, the  
4     concept was that only those who were vaccinated would  
5     not be capable of transmitting the virus, and those who  
6     were unvaccinated would be capable of transmitting the  
7     virus, and, hence, you know, the isolation, kind of  
8     segregation that's been occurring in society.

9             But so one needs to understand a little bit about  
10    vaccines to understand that aspect because that's  
11    critical, because, again, like I said, that was  
12    supposed to be the number one strategy for stopping  
13    transmission.

14            So these COVID-19 vaccines -- so, again, I mean,  
15    I'd like to highlight and my record shows for itself,  
16    being a publication record, that I've been actively  
17    publishing in the area of vaccinology during the  
18    declared pandemic. I am a vaccinologist. So, again,  
19    you know, my expertise is in viral immunology, and  
20    specifically I focus heavily on vaccinology.

21            So I am actually strongly in support of the  
22    concept of vaccine mandates, but these COVID -- current  
23    COVID-19 injections look nothing like and they perform  
24    nothing like any historically mandated vaccines. And  
25    that helps to understand a large part of the question  
26    you're asking.



1           So what I mean by that is we're all probably  
2       familiar with the vaccines that are mandated during  
3       childhood, so the childhood -- what we call the  
4       childhood series of vaccines. So that's things like --  
5       things like the mumps, measles, and Rubella vaccines,  
6       the ones we -- you know, we get for tetanus that get  
7       updated every 10 years and so on, chicken pox as of  
8       2010.

9           And so all of these previously mandated vaccines  
10      have a quality that we refer to, as immunologists, as  
11      conferring sterilizing or near sterilizing immunity.  
12      And what that means is technically if somebody's  
13      vaccinated, they can still get infected because  
14      infected means you the get the pathogen in your body.  
15      But what sterilizing and non-sterilizing --

16      THE CHAIR:                               Dr. [REDACTED] Dr. [REDACTED] --

17      A     Yes.

18      THE CHAIR:                               -- you're frozen.

19      MR. KITCHEN:                            He's not frozen.

20      THE CHAIR:                               Yeah, he's back now.

21      A     Okay, do I need to repeat anything?

22      THE CHAIR:                               Just the last sentence.

23      A     Oh, okay, thanks. So previously mandated vaccines  
24      confer what we call sterilizing or near-sterilizing  
25      immunity. And so sterilizing immunity means like, in  
26      all cases, a pathogen can still get in your body. So a

1 respiratory pathogen like SARS-Coronavirus-2, obviously  
2 we can still inhale it. If we had sterilizing  
3 immunity, it would mean that we have the appropriate  
4 type and quantity of antibodies in our upper  
5 respiratory tract to be able to fully neutralize that  
6 virus, meaning the antibodies would bind to the virus.

7         And that's one of the reasons why we've been  
8 targeting the spike protein. The spike protein is the  
9 thing that sticks up on the surface of the virus that  
10 grabs onto the receptor on our cells, the same receptor  
11 I was telling you about earlier that children express  
12 at much lower concentrations, which is why they're  
13 inherently protected.

14         So if you have an antibody that binds to the spike  
15 protein, then that spike protein can't grab onto our  
16 cells. And if the virus can't get into our cells,  
17 there can be no replication whatsoever and, therefore,  
18 no risk of disease and no risk of transmission. That  
19 would be sterilizing immunity.

20         Near-sterilizing immunity means that the virus,  
21 probably there would be a lot of neutralization of the  
22 virus, but the virus might still be able to infect a  
23 limited number of cells that we would have sufficient  
24 additional immunological mechanisms to clear that virus  
25 from the infected cells, things like T cells, which are  
26 very good at this, and it would clear the virus again

1 before it would replicate to that -- to a quantity that  
2 would reach what I referred to previously as the  
3 threshold dose required to infect somebody else. So  
4 that would be what we call near-sterilizing immunity,  
5 meaning you can get some infection yourselves, limited  
6 replication, but you're not going to get sick because  
7 there hasn't been enough replication to cause illness,  
8 and you're not going to transmit, because, again, you  
9 haven't reached that threshold dose that needs to be  
10 delivered. So that's what all our historical mandated  
11 vaccines look like; they do this.

12 Oh, and the other thing they do is they -- they  
13 not only confer this type of immunity but for very long  
14 periods of time. So when you think about it, once we  
15 are done our childhood vaccination series, except for  
16 the, you know, update every 10 years for things like  
17 diphtheria and -- for example, the -- and tetanus, we  
18 never have to be vaccinated again, we don't have to get  
19 boosters. So we call that robust or long-lasting  
20 immunity. So that's the nature.

21 Now, we're all probably seeing -- you know, we're  
22 already, in Canada, rolling out -- well on our way to  
23 rolling out third doses. We've actually been  
24 implementing fourth doses in some long-term care  
25 facilities where there's been a complete inability to  
26 control the spread of the Omicron variant. Israel, you

1 know, of course, is large -- most of their population  
2 has got four doses.

3       So this highlights something, this is three to  
4 four doses in well under a year. So that -- so,  
5 clearly, they don't -- they don't have the duration of  
6 immunity; they don't provide the, you know -- a  
7 reasonable length of protection. That alone means  
8 these vaccines will never be able to stop the  
9 transmission of this virus, because there's no way we  
10 can get the whole world vaccinated and under three  
11 months, such that the people, you know, no longer -- we  
12 haven't reached the point where people have lost  
13 protection. Otherwise, if you get only -- if it's only  
14 through part of the population by three months, by the  
15 time you're vaccinating new people, the people who were  
16 vaccinated at the beginning are going to be susceptible  
17 again. So that's one of the problems.

18       The other problem is that -- I already explained  
19 this, that the immunity is -- just really protects the  
20 lower airways. And the Omicron variant, we're talking  
21 about a version of the virus now that preferentially  
22 stays in the upper airways, so there isn't that --  
23 those aren't those neutralizing antibodies in the upper  
24 airways conferred by this vaccine that would confer  
25 that sterilizing protection.

26       So on that basis -- oh, and the other thing is

1     that there's been so many mutations in the spike  
2     protein of the Omicron variant that the immunity  
3     conferred by this, which is spike-protein specific, is  
4     largely irrelevant. A lot of those antibodies can't  
5     even physically bind to the spike protein anymore  
6     because it's changed too much.

7             So for all those reasons, that's one of the  
8     reasons why we're seeing the vaccine [sic] circulate  
9     freely, because it's largely then the unvaccinated that  
10    have been -- that have been -- or have continued to be  
11    asked to isolate and have been basically -- you know,  
12    segregated from society. So they are, you know, stay  
13    at home, not being able to go into the workplaces and  
14    so on.

15            So the fact -- and like I said, I've said this  
16    before as well, some of the -- for those in school  
17    settings or work locations, we're talking about people  
18    where almost everybody is vaccinated, but the virus --  
19    like I said, despite that, we had this record peak for  
20    cases with the Omicron variant. So that's one of the  
21    reasons, because the vaccines, unfortunately, have  
22    failed to meet their goal.

23            If these conferred long-lasting sterilized or  
24    near-sterilizing immunity, I may have had to have  
25    retracted my earlier statement about this becoming  
26    endemic. We may actually have had a chance of

1     eradicating this virus. But, you know, because of  
2     these weaknesses in what an ideal vaccine should be --  
3     I should even point out that even the very definition  
4     of a vaccine was altered about a year ago to  
5     accommodate these inoculations that we're providing,  
6     because, again, the definition of a vaccine was one  
7     that conferred sterilizing or near-sterilizing  
8     immunity. They were originally designed to not blunt  
9     the most severe forms of disease but actually prevent  
10    disease and prevent transmission to others. So that's  
11    why -- that's a primary reason why we're seeing this  
12    virus continue to circulate.

13           So now when you think about that, it's annoying  
14    that the vaccines are now largely irrelevant in terms  
15    of their ability to stop transmission; at the same  
16    time, we have kept -- we have remained -- keeping the  
17    vaccinated individuals from workplaces, we continue to  
18    require them to wear masks and do the physical  
19    distancing. So -- and, again, the fact that we've been  
20    doing this all along, but the waves of cases just keep  
21    getting progressively higher, although, like I said,  
22    the virus is progressively less -- that's the good news  
23    in all this. As that happens, the virus becomes -- has  
24    become less dangerous. So despite the spread, there is  
25    less potential harm to people. So I always want to  
26    remind people I don't want to be instilling unnecessary

1     fear.

2             But nevertheless ever increasing cases, and since  
3     the focus is on cases, that means that we've been  
4     trying to stop our cases. And, again, I won't say  
5     cases of COVID-19, that is what we ultimately want to  
6     prevent, but what we're actually measuring, again, are  
7     positive test results for potential infection with  
8     SARS-Coronavirus-2.

9             So what it tells us is that the masks and the  
10    physical distancing, despite the fact that we have not  
11    only maintained that all the way through but actually  
12    removed the vast majority of people from the population  
13    who are unvaccinated tells us that that combination of  
14    those critical three, which are supposed to be the  
15    three things to -- to end this pandemic, the  
16    vaccination, the masking, and the physical distancing,  
17    you know, that's real world evidence, you know, that  
18    we've all seen that really we can't -- argue doesn't  
19    exist, right, because we see it in our workplaces and  
20    schools. It clearly shows those aren't working. They  
21    can't be working while we're actually having, during  
22    this process of maintaining those three strategies,  
23    while removing most of those who are unvaccinated from  
24    those scenarios, when you actually see ever-increasing  
25    peaks in the, you know, recent waves, that clearly  
26    suggests that these are not working efficiently, right?

1 They're not -- they're certainly not efficient  
2 solutions to resolve the problem as we have it.

3 That's why many people are working right now on  
4 trying to develop vaccine strategies that ideally would  
5 be sterilizing or near-sterilizing because that would  
6 provide, potentially, an ideal way to prevent this.  
7 But then one even argues whether it's necessary if the  
8 virus isn't dangerous enough because -- this is  
9 something I teach my students -- one of the questions I  
10 get asked all the time, with all the vaccine  
11 technologies that we have, why don't we have a vaccine  
12 for the common cold. Well, the reason is simple, no  
13 medical intervention, no medical intervention comes  
14 with zero risk. So you always do a risk-benefit  
15 analysis.

16 And so the primary reason why we have never  
17 developed a vaccine against the cold that we try and  
18 implement is the cold in the vast majority of people  
19 again is not a major issue. And so if people aren't at  
20 substantial risk of harm from a pathogen, we're not  
21 going to introduce an unknown potential amount of harm  
22 from a novel medical intervention, and so that's why  
23 we'll never have vaccines for the common cold.

24 But, nevertheless, I just wanted to bring that up  
25 there, that that might be a viable strategy, if needed,  
26 if we were to get a future version of the -- you know,



1 future variant or strain of the virus that were to  
2 attain more dangerous characteristics again. But with  
3 the current tools that we have, we have seen the  
4 Omicron variant, the spread, the transmission go  
5 completely out of control. So, yeah, I'll end it  
6 there.

7 Q MR. KITCHEN: Well, thank you. But let's  
8 talk about prevaccine, let's talk about 2020. My  
9 understanding is, you know, the vaccine really didn't  
10 start to get up to -- until January of 2021, so about a  
11 year ago, you know, and the time that's really  
12 relevant, of course, for this case is, you know, from  
13 May 2020 till December 2020. That's when the  
14 chiropractors were allowed to work, that's when  
15 Dr. Wall was working, and that's when there was a  
16 mandatory mask requirement in place by the College.

17 So let's talk -- and as far as I can see, that's  
18 prevaccine. So let's talk back then. What's your take  
19 on why these measures, no vaccine, why measures like  
20 physical distancing and masking didn't work back then?

21 A Okay, so this leans heavily on what I already  
22 explained. So pathogens are a spread, there's risk of  
23 spreading it to somebody else when we're actively  
24 releasing large enough quantities from our body to meet  
25 the threshold dose needed to infect, bypass the initial  
26 physical barriers, and initiate disease -- or initiate,

1     sorry, what we would call a productive infection that  
2     would result in disease, because, again, disease is  
3     when there's the onset of signs and symptoms.

4             And so the reason why these largely haven't  
5     been -- weren't effective there, so outside of the  
6     scope of vaccines, is because we were keeping people  
7     out of the workplace who weren't sick. Again, I keep  
8     emphasizing that. If you're not around sick people,  
9     you tend not -- you're going to tend not to get sick.

10            And again -- so, again, these masks do a  
11     reasonable job at preventing the spread of illness when  
12     somebody's coughing and sneezing. That's what they're  
13     really designed to do, that's what the pore size is  
14     designed for in these masks.

15            And, otherwise, if -- so then the only argument  
16     that remains then for why these masks attempt to  
17     restrain the virus if somebody's not symptomatic would  
18     be, again, the concept that they have -- the assumption  
19     that they have a high enough dose of the virus in their  
20     respiratory tract but are not yet sick because of it  
21     and, therefore, exhaling large enough quantities, a  
22     threshold dose, through aerosols, right? That's the  
23     only physical way that a healthy person could,  
24     therefore, be spreading this, and as I've explained  
25     because of the pore size. And, more importantly, the  
26     pore -- really, the pore size is irrelevant if you

1 don't have a proper fitting mask, such as the vast  
2 majority is exiting the body unfiltered. You know, the  
3 virus isn't going to respect the masking, nor --

4       And then when it comes to the physical distancing,  
5 this is a complex process because some physical  
6 distancing theory can help if you can control, if you  
7 can control, because this is the thing, physical  
8 distancing was primarily implemented -- and, in fact,  
9 it's largely -- one can even argue what should be the  
10 appropriate distance. Many studies would suggest that  
11 an appropriate distance would only be 1 metre rather  
12 than 2. So it's a rather -- beyond 1 metre becomes  
13 rather arbitrary if you can -- if you pick a number  
14 beyond that.

15       But what people need to understand is that the  
16 reason this physical distancing was also selected was,  
17 in the context of sick people who were actively  
18 transmitting the virus by coughing and sneezing, it's  
19 this idea of large water droplets again. And the  
20 reason why 1 metre has always been recommended as the  
21 minimum distance to try and minimize your chance of  
22 getting infected -- so I would definitely recommend if  
23 somebody is around somebody who is coughing and  
24 sneezing, I would never recommend that you -- if you  
25 want to keep yourself healthy, I would recommend that  
26 you never go within 1 metre of their personal space,

1 and the further away you are, the less risk there is.  
2 And that's because people -- you know, when we cough  
3 and sneeze, the large droplets that we dispel land on  
4 the ground approximately a metre away from us, up to a  
5 metre away, so that's where that came from. But,  
6 again, that's for people who are symptomatic and  
7 meaning they're actively coughing and sneezing and  
8 projecting these large water droplets.

9       Otherwise, we're talking about aerosols. And when  
10 we're talking about aerosols, aerosols can travel very  
11 large distances, massive distances, in fact, depending  
12 on the environment. So, for example, there's very few  
13 indoor places anymore, like work environments, that  
14 have modern -- and even houses, you'll notice, most of  
15 the -- most modern buildings now have air circulating  
16 all the time, and so that creates currents, air  
17 currents, all the time in our homes. We're often  
18 unaware of these, but, you know, you know that you can  
19 get the test kits to look at smoke detectors or even  
20 smoke. If you ever put the smoke in a room, for  
21 example, in air vents and so on, you can often see that  
22 there are these air currents that are circulating. So  
23 we can't see that, so where these aerosols go is going  
24 to be dictated by the air currents that are around us.

25       So as an extreme example, and I've pointed this  
26 out to people, you know, kind of in a half-joking way,

1     only half-joking because it is actually serious, so,  
2     you know, I, from time to time, I've used -- you know,  
3     I use a bus. I've got a bus stop not far from my home,  
4     and again the best time -- the best time to see this,  
5     there's two ways to actually visualize this, one is  
6     observing smokers and the other one is observing people  
7     breathing but in the winter time, where you -- again,  
8     you can see the aerosols because of the condensation in  
9     the cold air.

10           And so one of the things that I always, always do,  
11     because I'm a nonsmoker myself, is if somebody's  
12     smoking, I always stand upwind from them, right? There  
13     is no defined distance at which smoke dissipates to --  
14     and which it's safe, if there's a wind. If you can be  
15     5 metres downwind of somebody at a bus stop, and you're  
16     going to be inhaling their smoke if the wind's taking  
17     it that way, because, yes, these aerosols dissipate,  
18     but if you have a wind that's moving quickly, you're  
19     going to be inhaling, you know, a reasonable amount of  
20     smoke, secondhand smoke. So many of us recognize that,  
21     and so if we don't want to inhale the smoke, we stay  
22     upwind, and that's what I'm talking about with these  
23     aerosols and air currents carrying this.

24           And so it's the same thing, if you have somebody  
25     that's, for example, let's say, unmasked and breathe  
26     out, if you -- if there's -- if the air is what we call

1     stale, is not moving, you're going to see a cloud that  
2     forms in front of their mouth, and it's going to  
3     dissipate as it moves out. In that case, the aerosol's  
4     probably going to dissipate, pretty low concentrations,  
5     right, per volume of air space at not too far a  
6     distance. But, again, if you're standing, you know, 3  
7     metres downwind of the person and, you know there's a  
8     reasonable breeze, those vapours, you can see them  
9     coming right by, right by your face. And so you're  
10    actually inhaling, you know, reasonable concentrations  
11    of the air being expelled by that individual. So  
12    that's how, you know, is -- that's a good way to look  
13    at it.

14           And so it's the same thing, so -- and worse, this  
15    is the other thing, so I point out again that, in  
16    fact -- so you combine that, we're talking about  
17    aerosols with the masking, and the very frustrating  
18    thing there is -- again, I try to point out -- if I'm  
19    standing at a bus stop, and there's people sort of  
20    downwind of me, and I want -- and if I were to feel  
21    that I had to protect them from an aerosol, I would  
22    actually rather have to take my mask off so I'm  
23    projecting the aerosol ahead when then maybe it gets  
24    dissipated, you know, down in front of the crowd of  
25    people. By putting on the mask, I'm actually making  
26    sure that I'm blowing lots of unfiltered air out past

1 my ear and actually firing it basically in the  
2 direction of the people, right, or right beside me. So  
3 that's what I mean.

4 So this is the problem, this is the problem when  
5 it comes to the mask. We're not properly control --  
6 and, in fact, it -- when you think about it, it's --  
7 it's not logical, we don't think logically, because we  
8 think about -- we've all seen our breath in cold air,  
9 so we think if we're going to control our breath -- I'm  
10 going to use the example, bad breath. If you want to  
11 avoid somebody detecting bad breath, one of the things  
12 you do you don't breathe on them, right? So you find a  
13 way of making sure the breath goes some other way.  
14 Even if you're looking at them, some people will sort  
15 of breathe out the side of their mouth, change the  
16 shape so it kind of directs it away from the person.  
17 And this is inherently because we know that we can't  
18 alter the direction that it goes, but so we're always  
19 thinking of breath coming out from our mouths.

20 And so what the interesting thing is what people  
21 often do, out of reflex, is in order to -- when they  
22 have the masks on, in order to avoid having any of  
23 these aerosols hit them or their breath hit them, they  
24 tend to look away from them. And as I pointed out,  
25 because of the -- what the direction -- the air -- the  
26 air actually coming out, you know, by the ears, by

1 looking away from somebody, you actually redirect the  
2 unfiltered air in their direction.

3         So an example, in my workplace, we were actually  
4 told -- because it turns out that our hallways are less  
5 than 2 metres, so we were actually -- what we were  
6 actually asked to do was if we passed one another in  
7 the hallways, we'd go belly to belly or chest against  
8 the wall, like kind of inch our past one another with  
9 our backs turned. And all time we're do -- all I --  
10 you know, all I'm doing by doing that is, you know, at  
11 least if I have the mask on and I'm looking at the  
12 person, I'm directing the air away from them. As soon  
13 as I turn my back on them, again, I'm directing air  
14 toward -- in their general direction.

15         So this is the problem, and this is why we've had  
16 trouble with the masking and controlling the spread of  
17 aerosols, and why distancing, why distancing is quite  
18 arbitrary in the context of aerosols. So, again, there  
19 have -- there was a published scientific study in a  
20 peer-reviewed journal that clearly showed with these  
21 aerosols, they can travel -- they can travel, again  
22 with the air currents, up to 30 metres, you know, if  
23 they're carried on an air current that's swift enough  
24 and going in a certain direction rather than swirling  
25 air.

26         So it's all dependent on air currents, it's



1 dependent on the direction that the unfiltered air is  
2 going. So we're talking about -- again, again, I would  
3 say -- you know, I saw Dr. [REDACTED] report, I agree 100  
4 percent with him on the efficacy of masking with  
5 symptomatic individuals, you know. But we're talking  
6 about -- but, again, what you asked is people who are  
7 going into the workplace who are asymptomatic, masking  
8 to prevent the spread of aerosols and control the  
9 direction in which they're going is not -- does not do  
10 the job, not in the context of aerosols. So that's why  
11 this virus has been spreading.

12 And I'd like to point out again, if you -- if  
13 we -- if that is true, if the masks -- if the virus, it  
14 could potentially spread on aerosols, and there's  
15 some -- lots of studies have suggested that maybe it  
16 can and -- but masks were doing their job, then we  
17 would expect that people would have been protected.  
18 But like I said, the actual -- in the study that was  
19 published looking at immunity in healthy individuals,  
20 people who never had any evidence that they were  
21 infected or knew they were infected with the  
22 SARS-Coronavirus-2, showed many healthy adults  
23 acquiring immunity for the virus, and so that's been  
24 occurring despite the masking.

25 Q Well, I need to ask you a couple questions about  
26 asymptomatic transmission, because -- and symptomatic

1 transmission for that matter. Let me ask you this: Of  
2 all the transmission of SARS-Coronavirus-2 or  
3 SARS-Coronavirus-2, roughly how much comes from  
4 asymptomatic people and roughly how much comes from  
5 symptomatic people?

6 A So the subtotal of scientific literature would suggest  
7 very little comes from asymptomatic individuals. It is  
8 not zero. There is some asymptomatic transmission that  
9 can occur.

10 One of the studies that often gets highlighted was  
11 a -- again, it was a peer-reviewed scientific paper  
12 published in an high-impact journal. It was actually  
13 studied in a huge population in China, about 10 million  
14 people, and the conclusion from that study was among a  
15 sample size of 10 million people. They found no  
16 substantial evidence of asymptomatic transmission.

17 And, again, it's not surprising, because, again,  
18 for all the reasons I already explained, so I won't go  
19 into them again in any detail, but just very quickly,  
20 you have to have the virus in your lungs at a  
21 sufficient quantity to be -- such that your body is  
22 releasing enough to exceed that threshold dose needed  
23 to cause illness in somebody else, and that almost  
24 always requires active expelling of the virus from the  
25 body through coughing and sneezing, but not always.

26 There is the theoretical scenario where you could

1 have somebody who's still not actively coughing and  
2 sneezing, so they don't know that they're sick, it  
3 might be a little bit threshold dose. When it comes to  
4 biology, anything is possible. I'll never say anything  
5 is impossible. So it is certainly theoretically  
6 possible, and, in fact, I would argue it is a real --  
7 real thing, but it would be high -- it's highly  
8 improbable, meaning a rare event.

9 And there has been like a lot of agreement,  
10 generally speaking, including among major public health  
11 bodies, like the World Health Organization, there's  
12 many organizations that, after a while into the  
13 pandemic, we're starting to recommend just end the  
14 testing, testing for evidence of SARS-Coronavirus-2 and  
15 asymptomatic people for this very reason, because, you  
16 know, again, we recognize you're testing healthy  
17 people.

18 And what was being recognized though -- so  
19 although there's very few cases, documented cases of  
20 clear-cut transmission from asymptomatic people of  
21 infectious viruses that may be at a dose that can cause  
22 disease, it's definitely not a substantial driver of  
23 this pandemic in any way, shape, or form.

24 So even, I'd like to point out -- so I notice  
25 that -- you know, like Dr. ■ cited some peer-reviewed  
26 scientific articles, and that's great, because, again,

1     that's the, you know, best type of evidence for this,  
2     but even there, the important thing is looking at what  
3     was actually measured.

4             So when you actually look, when they were  
5     measuring some of the -- in some of those masking  
6     studies, it was -- they were looking at, again, doing  
7     genetic testing essentially, like PCR testing, to look  
8     for evidence of the genetic material from the virus,  
9     and so this -- you have to be very careful again  
10    because -- okay, so this requires a little bit of  
11    background in terms of measuring, measuring, how you  
12    measure whether a virus is being filtered.

13            So with this PCR test that we've all probably  
14    heard about, it's called polymerase chain reaction.  
15    What it is is this concept that we can use little  
16    pieces of genetic material that recognize sections of  
17    the genetic material from the virus, and so if the  
18    genetic material from the virus is present in a sample.

19            So, for example, if you put a mask on an  
20    individual like -- and you ask them to breathe, and you  
21    capture those samples, you can run this test to look  
22    for evidence, you can ask is there any evidence of the  
23    virus based on genetic material being present. And  
24    when you do that, this test can detect small segments  
25    of the genetic material from the virus, and then it --  
26    this gets amplified, you run it for a number of cycles.

1 And if genetic material is present, you keep amplifying  
2 it with each cycle, somewhat exponentially, until you  
3 get enough of it, you can literally visualize it in a  
4 test. So you can ultimately amplify it to such an  
5 amount that you can visualize the genetic material, and  
6 then you say, okay, so that genetic material seems to  
7 have been present.

8 The problem with this is and the problem we've --  
9 you know, I don't -- I can't comment on why this has  
10 happened, because it's -- it's against all historical  
11 standards, but we have relied on just the PCR test in  
12 Canada for some reason, and we have arbitrarily picked,  
13 in most cases, cycle cut-offs.

14 Because what happens, when you go to very high  
15 cycles, your amplify -- you can -- what can end up  
16 happening is you can end up amplifying background, you  
17 get background signals we call it. And so you think  
18 you see a causative result, but it's actually just  
19 background. And we've been calling, running these  
20 tests and calling -- so, for example, in Ontario, up to  
21 38 cycles, if you can then detect a signal from this  
22 test, we're calling that a positive test result for  
23 SARS-Coronavirus-2.

24 But this is how it's supposed to work: We do  
25 actually -- PCR is not a gold-standard test for  
26 detecting it. Like it's a fabulous technology, but

1    like anything, all technology, it has limitations. It  
2    is able -- what it's not able to do is detect -- it's  
3    not able -- it's only going to tell you if a portion of  
4    the genetic material -- material is present. It can't  
5    tell you if there are replication-competent, intact  
6    virus particles, in other words, virus particles that  
7    have the potential to infect somebody.

8           But we do have a gold-standard test for that, a  
9    virology assay. Remarkably, we abandoned this early on  
10   in Canada. And specifically what's supposed to happen  
11   is in order to validate your test, in order -- in other  
12   words, in order to say, okay, my test, the results that  
13   I'm showing in this test are proving -- or are  
14   suggestive, highly suggestive that what I'm detecting  
15   is infect -- or are virus particles with the potential  
16   to infect somebody else. What you do is you take your  
17   sample, and you split it into two, and with one, you  
18   run your PCR test, and you determine at what cycle  
19   number you get a positive result.

20           And in the other one, you do -- that uses  
21   gold-standard virology test, which is actually a  
22   functional test. What you do is apply the sample to  
23   cells. You let these cells grow, you grow them on  
24   plates, and we grow them for what's called confluence,  
25   which means the entire bottom of the plate is covered  
26   with these cells; you can't see the plate at the bottom

1 of the plate anymore.

2 And then what you do is you add your sample.

3 These are a special type of cell, we call them  
4 permissive cell lines, and what they are are they are  
5 cells that are stripped of all their anti-viral  
6 properties, they're not able to protect themselves from  
7 viruses, so that if there is a virus in your sample, it  
8 can very efficiently infect these cells, and it will  
9 start replicating and spreading, and it will kill the  
10 cells. We call this cytopathic effect.

11 So what you do is you look at your cells under a  
12 microscope, and you make sure, before you add your  
13 sample, that the entire bottom of the plate is covered  
14 with the cells, then you add your sample. If there's  
15 any replication-competent virus there, which also  
16 means, therefore, that it would have the potential to  
17 infect and cause disease in somebody else, when you  
18 look under the microscope later, you will see those  
19 cells removed from the -- those cells have been killed  
20 off, and now you'll be able to see the bottom of the  
21 plate. And what you do is you find the cycle number at  
22 which your samples no longer cause any damage to that  
23 cell layer, and then that is how you prove,  
24 objectively, the cutoff for your PCR.

25 And what's interesting is we actually did this --  
26 I did. Our micro -- National Microbiology Laboratory,

1    which is part of the Public Health Agency of Canada.  
2    It's located -- it's one of our -- it's a Containment  
3    Level 3 and 4 facility in Winnipeg, Manitoba, they did  
4    this at the beginning of the pandemic, and -- which was  
5    the appropriate thing to do, and remarkably -- and this  
6    is published, this is a peer-reviewed published paper  
7    that they issued early on in the pandemic. And what's  
8    remarkable there is they set the cut-off at 24 cycles.  
9    Now, that doesn't mean anybody running a PCR test has  
10   to have their cut-off at 24 cycles. The -- the actual  
11   cycle cut-off, any person running this test should,  
12   first, establish what the cut-off is for themselves, with  
13   their particular protocol, their set of reagents, and  
14   their particular technical expertise.

15         So the cycle number should act -- for the cut-off  
16   should change from laboratory to laboratory, but  
17   everybody should be able to show you that gold-standard  
18   virology assay and the results from it to provide the  
19   rationale as to why they picked that particular  
20   cut-off.

21         But nevertheless, it -- because it's not going to  
22   stray too far from that. And so my point is the  
23   National Microbiology Laboratory showed that the proper  
24   cut-off in their hands of the PCR assay was at 24  
25   cycles. In other words, this paper, if you go and you  
26   read it, our own public health scientists that



1 published this, what they found is that if the PCR test  
2 came up positive at cycle numbers higher than 24, those  
3 samples, they were unable to infect the cells in that  
4 gold-standard virology assay with those samples.  
5 Meaning, there was no evidence of replication-competent  
6 or -- virus particles that had the potential to infect  
7 anybody else.

8       So if they were running the diagnostic tests, for  
9 example, to the PCR, therefore, they would set the  
10 cutoff at 24. They would say anybody with a positive  
11 test result up to 24 -- and they wouldn't have to run  
12 this assay again, you don't have to do it every time,  
13 and it makes no sense to do so -- they would then, with  
14 high confidence, be able to say anybody who tests  
15 positive up to a cycle number of 24 almost certainly  
16 has infection of -- replication-competent viruses in  
17 their body with the potential to infect others. But  
18 the reverse of that conclusion is anybody with the test  
19 result that is cycle number above 24, they would have  
20 to conclude that those people are not able to infect  
21 anybody else.

22       And so this is the problem, because a lot of the  
23 publications that relied on this genetic test, and,  
24 therefore, there is, without the gold-standard test  
25 being run in parallel, there's no way to tell whether  
26 their positive results were false positives, or even --

1 the thing I like to point out, there are genuine  
2 positive tests but that do not -- but -- in which those  
3 individuals, so they're genuinely detecting, they're  
4 truly detecting genetic material from the virus, but  
5 those people actually aren't infectious, and that's  
6 actually people who have mounted immune responses.

7 This is very important to understand, because what  
8 happens is one of the things our immune system does --  
9 I didn't go into the details, but some of you may  
10 recall when I was explaining kind of line of defences,  
11 I mentioned that once the virus penetrates the physical  
12 barriers and starts affecting cells, we have these  
13 sentinel cells which will detect infection and trigger  
14 these subsequent immune responses.

15 Well, these sentinel cells, one -- and a couple  
16 other cell types, what they're designed to do very  
17 on [sic], in order to detect these viruses is they  
18 gobble them up, they actually consume them. We call  
19 this phagocytosis, right? So they actually basically  
20 eat, consume the virus, and then what they do is they  
21 take the virus, and they break it into pieces, and then  
22 they take these pieces, and they actually take it to  
23 the draining lymph node, and they show it to our B and  
24 T cells, to say, Look, here's a dangerous pathogen that  
25 you need to go and try and clear from the body.

26 And then we get our B cells and T cells activated.

1 The B cells are the ones that then produce the  
2 antibodies. And you know that this process is  
3 happening when your lymph node swells, because if those  
4 B and T cells are being activated, they start  
5 proliferating in large numbers, so we have an army, an  
6 army that's designed to go and recognize the pathogen.

7 So that's why if you're sick, like you have a  
8 throat infection, you can often palpate the lymph  
9 nodes, right, just behind your jaw, or your physician  
10 does that. That's what they're looking for for  
11 confirmation, because your lymph node is swelling; that  
12 means you're actively mounting an immune response  
13 against the pathogen, and it's clear evidence that  
14 you're infected.

15 But, so, this is what you have to understand, this  
16 is the key, to get to that process, we have to have  
17 cells that gobble up the virus and carry it to the  
18 lymph node and show pieces of it. These cells will  
19 hold on to that so that virus is no longer  
20 replication-competent. It's inside the phagocytic  
21 cells and -- but it -- they will hold onto this for up  
22 to weeks, even sometimes months, and that is to make  
23 sure that there is always a supply of the target that  
24 the immune system needs to respond to to protect the  
25 body.

26 So it can take -- usually it doesn't take months,

1 but certainly, for sure, at least two to three weeks,  
2 they'll be holding onto this material in case -- and  
3 that's the case, the immune system has to keep  
4 responding, in case they have to keep getting more  
5 effectors recruited, depending on how virulent the  
6 virus is.

7 And so in many cases, that -- then what you get is  
8 you get a true positive test result with the PCR.  
9 There's actually, you know, viral particles present --  
10 or partial viral particles, at least pieces of the  
11 general genetic material present in the body, but as  
12 you can imagine, that's not ever going to infect  
13 anybody, right? It's inside the cells of our immune  
14 system that use that to educate the rest of our immune  
15 system.

16 So this is why it's important to understand how  
17 this works. Yeah, so I'll leave it at that.

18 Q Thank you. All right, so I need to go back to -- you  
19 established that SARS-CoV-2 spreads by aerosols; we've  
20 established that the masks don't stop aerosols; we've  
21 established that they do tend to stop the bigger  
22 droplets, we've established that asymptomatic spread is  
23 rare. And that leaves the question then, forgive me,  
24 but if I'm listening logically to what you're saying,  
25 then, when symptomatic people wear a mask, they'll end  
26 up spreading SARS-CoV-2 through aerosols; is that

1 correct?

2 A Yes. Again, there's evidence this virus can spread  
3 through aerosols. So one thing, just to clarify what  
4 you said just a moment ago, the -- so, yes, there's  
5 evidence that the virus spreads by aerosols, but I also  
6 want to make it clear, the virus is going to spread  
7 very efficiently through the large water droplets with  
8 the coughing and sneezing as well, as well as contact  
9 media transmissions.

10 So I notice in Dr. [REDACTED] report, you know, he had  
11 mentioned that as well -- he had mentioned all three --  
12 all three occur. He placed more emphasis on the large  
13 water droplets and the contact transmission, so I don't  
14 disagree. I just want to make that clear. But again,  
15 those are symptomatic individuals; we're talking about  
16 large water droplets and contact transmission, those  
17 are people who are actively -- you know, actively  
18 releasing large amounts of the virus.

19 And so with a contact transmission, actually I  
20 have additional concern there, because I agree that  
21 contact media transmission is an issue, and that's  
22 where I'm concerned when we -- when we're old -- when  
23 we're making people use these masks only in the context  
24 of aerosol media transmission, because, again, those  
25 who are actively sick are isolated, what we're doing  
26 with these masks, because of the contact -- or

1 potential contact is where we -- people are constantly  
2 handling their masks, right? So if there is any spread  
3 of virus, we're actually bringing their hands to their  
4 mask.

5 I have been -- I am unable -- I wear a mask on a  
6 regular basis, clearly for some of the, you know,  
7 surgical work that I do as part of my research program.

8 I -- when I'm doing the surgical stuff, I do tend  
9 to be very careful, you know, very mindful of that.  
10 And even there, it's very difficult not to touch a  
11 mask, but you're taught, you know, when you're doing  
12 surgical work not to touch it. But, otherwise, unless  
13 you're doing surgery, I'm not able to -- especially if  
14 I'm -- unless I'm focused on it all the time, I'm not  
15 able to avoid touching my mask. In fact, the average  
16 person cannot talk for any substantial period of time  
17 and not have to touch their mask because it causes  
18 bunching of the mask, you know, and it pulls off the  
19 chin or it pulls off the nose. So there's very few  
20 people who get through an eight-hour workday without  
21 handling their masks over and over and over and over  
22 again.

23 And worse, many people, unlike a surgery, where  
24 you would then discard your mask, and then if you have  
25 another surgery, you would put on a fresh one, there's  
26 a lot of people who keep reusing their masks over and

1 over. So that potentially enhances the contact media  
2 transmission. So I just want to be clear on that, that  
3 it's not just the aerosol, it's contact media  
4 transmission and large droplets. And wearing a mask  
5 for the large droplets can handle that, but you don't  
6 want to be handling the mask or else you're promoting  
7 the contact via transmission. But, again, I highlight  
8 that's symptomatic people, and we're screening those  
9 individuals out, so they're not supposed to be in the  
10 workplace, so that leaves, therefore, just the aerosol  
11 media transmission.

12 And so, yes, I agree with you that in the context  
13 of the aerosol transmission, an asymptomatic person  
14 leaving their home and then donning their mask to try  
15 and prevent the aerosol media transmission for all the  
16 reasons that I just cited prior to this is not going to  
17 be effective at preventing transmission by that route.

18 Q The question that I'm left with and I think many people  
19 are if they have the masking in place, and we have the  
20 screening in place, and yet what we've seen in the last  
21 year-and-a-half that we've had masks, because we didn't  
22 have it the first few months of the declared pandemic,  
23 the last year-and-a-half that we've had masks, we've  
24 just seen the spread increase and increase and increase  
25 and increase. And yet, what you're telling me is that  
26 it is effective with symptomatic people because it --

1           somewhat because it stops their droplets and spittle.

2           And I'm left with that question, right, of if  
3           masks are somewhat effective with symptomatic people,  
4           and symptomatic people are supposed to be removed, and  
5           it seems like they sometimes are, and yet we still have  
6           all this increase in spread, all right, so people --  
7           nonscientific people like me are left scratching their  
8           head.

9    A    Would you like me to address that point?

10   Q    Yes.

11   A    Yeah, so it's for the reason that we've been talking  
12           about is the aerosol media transmission.

13   Q    Okay.

14   A    So I've cited in my report, there's a large number in  
15           there. I mean, that's exactly what was looked at. So,  
16           again, just to make this clear, there's a big  
17           difference between SARS-Coronavirus-2 and the viruses  
18           that we're familiar with. This is why I took some time  
19           to investigate it.

20           So what seems to relatively unique about the  
21           SARS-Coronavirus-2 is this aerosol media transmission.  
22           That's something else they should clarify. Previous  
23           viruses historically -- because -- so this is again  
24           why, initially, the masking seemed to make sense, but  
25           only in the context of symptomatic individuals is  
26           because we assumed that the primary mode of spread was



1 the coughing and sneezing and contact media  
2 transmission. So that is pretty much what most of the  
3 previous viruses and our other viruses that we're used  
4 to causing respiratory infections, they usually fall  
5 into that category.

6 For the flu virus, for example, that is the  
7 primary way by which it is spread. It's not  
8 recognized. In fact, it's well recognized that the  
9 influenza viruses don't spread very efficiently via  
10 aerosols. So that's what's unique to this virus.

11 So, again, like all our historical studies and the  
12 masking studies, again, this is a strategy that is  
13 designed to stop those kind of respiratory pathogens,  
14 and that type of transmission, but not aerosol  
15 transmission, and so that's why we've been seeing this.  
16 And that's why I say when you take sick people away  
17 from other people, that's the most effective way, but  
18 the problem is with the aerosol transmission, people  
19 are still able to go out there, right, and transmit  
20 this virus.

21 And the issue here is with the -- yeah, the  
22 masking in particular. So this is something that I  
23 hadn't highlighted, which I think is important, because  
24 what it comes down to then is what would a protective  
25 mask look like or what would really protective masking  
26 look like in the context of aerosol media transmission.

1           So as a researcher, this is something that they  
2   deal with all the time. My entire laboratory is rated  
3   as a Containment Level 2 laboratory, so all of my  
4   entire research space. So this is because we work with  
5   what's called Containment Level 2 biosafety hazards.  
6   So -- and there's a certain amount of protection  
7   that -- that we implement to protect us. So these are  
8   not particularly -- these are not dangerous; these are  
9   not dangerous pathogens; these are not disease-causing  
10   agents, or, at most, if somebody were to get a large  
11   dose of them, it would cause mild disease at the most.

12           But so -- but what we have to do all the time when  
13   we are -- design a research program, I -- we're  
14   constantly policed in the sense that I have to get a  
15   biohazard permit in order to conduct my research. So I  
16   have to describe how I'm conducting my research and  
17   what protections are in place to make sure that people  
18   aren't put at unnecessary risk from the Containment  
19   Level 2 to agents that we work with.

20           The SARS-Coronavirus-2 -- and so I'm very  
21   familiar, therefore, with biosafety strategies, right,  
22   and personal protective equipment that one would use in  
23   these scenarios. And like I said, I've done  
24   collaborative research on the SARS-Coronavirus-2.

25           For the one publication that we published recently  
26   dealing with the novel vaccine, that involved a

1 challenge study with the SARS-Coronavirus-2, where  
2 animals were vaccinated and then challenged with the  
3 virus. So that work is done, and it can take -- what  
4 we call Containment Level 3. So SARS-Coronavirus-2 is  
5 considered a Containment Level 3 pathogen.

6 Now, this is interesting because this then says --  
7 so we have -- the Public Health Agency of Canada has  
8 told us what the appropriate protection is against a  
9 Containment Level 3 pathogen, and I have that in my  
10 report. So, in fact -- not people to look at it, but  
11 if you want to take a note and look at it later, I  
12 would refer everybody to Figure 7 on page 13 of my  
13 report, because what I've done there -- what I've shown  
14 is a picture of a stereotypical personal protective  
15 gear that one would wear to protect themselves against  
16 infection with a Containment Level 3 pathogen.

17 And so what I can tell you is -- I mean, it would  
18 be laughable if I ever put on a surgical mask or a  
19 cloth mask and then asked to go in and challenge our  
20 animals with a SARS-Coronavirus-2 wearing that. I  
21 mean, I would get myself in serious trouble. I'd  
22 probably have my biohazard permit revoked for showing  
23 such lack of understanding of personal protective  
24 equipment, because I'd be putting myself at incredible  
25 risk of being infected with the SARS-Coronavirus-2,  
26 because a lot of the procedures that we're doing create

1 aerosols. So if you're pipetting, which is a -- it's a  
2 scientific tool for allowing us to deliver precise  
3 quantities of fluid; that's known to create aerosols.

4 So a lot the work and manipulation we do -- and  
5 we're working with high doses of viruses as well,  
6 remember, in those kind of settings with lots of  
7 potential for aerosol production, so I'm very familiar  
8 with what it takes to protect one from a pathogen  
9 that's been aerosolized.

10 And if you can refer to this picture, the first  
11 thing you'll notice is the individual has the pathogen  
12 in a tube, a closed tube, and these tubes will only be  
13 opened inside this special unit that their arms are  
14 inserted into. It's called a biological safety  
15 cabinet. And if you can see the picture, you'll notice  
16 that just in front of the individual's elbows, there's  
17 a grate. There's a solid stainless steel surface  
18 inside the hood, and what's in the front of it is a  
19 grate.

20 And what happens is this has special air flow, and  
21 what happens is air actually blasts up from this grate  
22 and then up into the cabinet and then goes through a  
23 HEPA filter -- actually a number of HEPA filters.  
24 HEPA -- so unlike the masking material in the low-cost  
25 masks like the surgical masks and the cloth masks,  
26 which have very large pore sizes, HEPA filters have

1 extremely small pore sizes that are designed to filter  
2 out most pathogens. And so what that air, therefore,  
3 is -- so what it does is creates a wall of air in front  
4 of you that is basic -- essentially sterile air. So  
5 you actually run these things for 20 minutes, so if  
6 there's any contaminants in it, after 20 minutes, the  
7 air that's running is essentially sterile. So then  
8 when you put your arm -- you put your arms in slowly,  
9 because you don't want to disrupt the air flow too  
10 much. By doing so, you're literally going through an  
11 air barrier, so no aerosols can come out of that  
12 cabinet.

13 But in case any does, however, say for example,  
14 that individual were to make a mistake and insert the  
15 arm too quickly to disrupt that air flow excessively  
16 and allow a little bit, potentially, of aerosol to come  
17 out, that's why they have the rest of the personal  
18 protective equipment, the gloves and the gown, is to  
19 minimize the potential for contact media transmission.  
20 You don't want spills on your personal clothing, right,  
21 such that, you know, if you go home, you know, you  
22 might be touching your clothing, then touching other  
23 things, so that's to protect against that contact media  
24 transmission.

25 But you'll notice they don't -- they aren't  
26 wearing a cloth mask or a surgical mask; they're

1     wearing a mask -- and as you can see, very different --  
2     this is actually a requirement interestingly. I would  
3     not be able to go into this facility with the mask  
4     that's in this picture. And so if you notice what the  
5     difference is between the individual wearing that mask  
6     and me, I've got a beard. And so this is very  
7     important to note. So if you look at their mask,  
8     you'll see it has elasticized material such that it  
9     provides a tight seal along the skin everywhere. And  
10    then around the hair, you'll see a headband. And then  
11    what you see is you see a tube coming out from the back  
12    of the -- the headpiece, and what it goes to is a  
13    little unit that mounts on the belt at the back of this  
14    individual, and this actually actively filters air.

15           So what that -- what that has is has a fan in it,  
16    and it has HEPA filters, and so it's actually drawing  
17    in air from the environment, from the room this  
18    individual is in, passing it through HEPA filters and  
19    then into that hood and specifically the face mask area  
20    so that what they're breathing is HEPA filtered air.

21           And like I said, so this individual -- so often,  
22    people working in these facilities are required to  
23    shave so that their mask can actually make proper  
24    contact, right? Because right now, I'm allowed to wear  
25    a cloth mask right now, and I'm not -- and I like to  
26    have a beard, and it's winter time, and I'm not

1 required, but I'll tell you the -- and because I know  
2 of the futility of masking in the context of aerosols,  
3 but the reality is, you know, if I were to wear a mask  
4 right now, I mentioned about how air would escape past  
5 the ears and the nose, well, also around my beard  
6 because the beard is holding the mask away from my  
7 skin, and I can guarantee that my beard has far larger  
8 pore sizes in it than the masking material.

9         So I just want to point that out, because that's  
10 our own government agency that's designed for telling  
11 us how we safely interact with Containment Level 3  
12 pathogens, of which SARS-Coronavirus-2 is, that is how  
13 one would protect themselves from aerosolized mediated  
14 transmission of a Containment Level 3 pathogen, and as  
15 I'm sure you can appreciate, it's not a cloth or a  
16 surgical mask.

17         Again, I can't emphasize enough that if I were to  
18 try to enter this facility and conduct this type of  
19 research with that, I would almost certainly have my  
20 biohazard permit rescinded and my ability to conduct  
21 that type of research removed, at least temporarily,  
22 until I underwent training to demonstrate that I  
23 understand how to truly protect myself from a  
24 Containment Level 3 pathogen.

25         And this isn't just for the individual of course.  
26 The key thing, in any of this strategy should be both

1 protecting the individual and also the people around  
2 them. You don't want a researcher coming out of a  
3 Containment Level 3 facility potentially spreading  
4 Containment Level 3 pathogens to the public.

5 Q Is there any logical or scientific reason to think that  
6 masks are more effective at preventing transmission of  
7 the virus by asymptomatic people in one place than  
8 another?

9 A No, no. They're physically -- they're operating based  
10 on the same physical principles. Now, I have seen the  
11 argument made that maybe the environment can  
12 potentially put an individual at greater risk. So, for  
13 example, in the health care environment, again,  
14 masking -- the physical protection conferred by a mask  
15 doesn't change based on the environment that they're  
16 in, but the potential risk of exposure does.

17 So a health care worker working with actively  
18 infected individuals certainly might be at increased  
19 risk of potentially being exposed. All the more reason  
20 why I would argue that they actually need proper  
21 protective equipment, so beyond the cloth mask, like  
22 something that would actually be designed to filter out  
23 this, and those are things that could not be worn for  
24 long durations of time. That would, for example, be  
25 like a rubber mask that could be fit-tested, again, to  
26 seal on the face; you wouldn't be allowed the beard,



1 and would have -- potentially the filters mounted to  
2 it. But you'll find that those devices, very difficult  
3 to breath with those devices for long periods of time.  
4 But that's the type of thing that might be appropriate  
5 in those settings. So, no, this type of masking isn't  
6 going to help in different settings.

7 But what I want to point out is -- so one of the  
8 things I noticed actually in Dr. [REDACTED] report is that he  
9 brought this up in terms of health care workers. I  
10 mean, I'm no expert with chiropractors, but I agree  
11 with him that a health care worker working -- and he  
12 used the example of people who are -- were known to be  
13 actively infected and potentially infectious with  
14 diagnosed COVID-19. Where, I guess, I differ on  
15 this -- and, again, I'm not an expert in the world of  
16 practicing as a chiropractor, so I could be  
17 corrected -- but my understanding is that the average  
18 chiropractor is not being expected to work with a  
19 symptomatic COVID patient, diagnosed with COVID-19, so  
20 I would -- especially in that case, I wouldn't have a  
21 concern.

22 If -- so if a health care worker is working  
23 with -- is asymptomatic, and the patient they're  
24 working with is asymptomatic, having a mask just  
25 doesn't seem to make logical sense to me. A mask that  
26 is designed to effectively prevent transmission because

1 of lack of sickness doesn't make sense to me.

2 Q Forgive me, you've answered so many of my questions, I  
3 have to do a bit of a review here.

4 Okay, so I'm going to ask a couple questions here  
5 about aerosols and droplets, and then I think maybe we  
6 can leave that behind, because there seems to be  
7 contention on this. Would you say that the balance of  
8 the available academic literature supports aerosol  
9 transmission?

10 A So this is interesting, the -- it's debatable. This  
11 aspect is debatable about the aerosol-mediated  
12 transmission. Certainly without the act of coughing  
13 and sneezing, it would be difficult to get a, again, a  
14 threshold dose needed to infect somebody out with the  
15 aerosols, and there was -- earlier on, in order to  
16 explain this spread and the spread despite masking,  
17 that that's where a lot of the publications were geared  
18 towards were showing this aerosol-mediated  
19 transmission, that's been questioned now as well. So  
20 it's actually a little bit difficult to say  
21 definitively, based on the scientific literature, it's  
22 an active area of debate I would say.

23 And like I said, especially because, as we now  
24 have two years of experience and despite this strategy  
25 having been implemented throughout the duration, right  
26 from the beginning, but the ongoing spread of

1           increasingly --

2           (AUDIO/VIDEO FEED LOST)

3           MS. [REDACTED]                       Sorry, I don't mean to  
4           interrupt, but Dr. [REDACTED] has dropped off the call, so  
5           if we could just pause until I get her back, please --

6    A    Yes.

7           MS. [REDACTED]                       -- that would be great.

8    Q    MR. KITCHEN:                       Thanks, Dr. [REDACTED]

9           Dr. [REDACTED] I welcome you to continue.

10   A    Okay.

11   Q    But I just want to make sure I have this right, are  
12           there three potential or likely areas of methods of  
13           transmission: Droplet, aerosol, and contact; is that  
14           accurate?

15   A    Yes.

16   Q    Okay.

17   A    Now, I guess, yeah, in the context of SARS-CoV-2. If  
18           we're talking about pathogens in general --

19   Q    Right.

20   A    -- (INDISCERNIBLE) like sexually transmitted diseases,  
21           but, yes, certainly SARS-CoV-2, for example --

22   Q    Yes.

23   A    -- those would be the three primary potential modes of  
24           transmission.

25   Q    Okay, well, let me ask you this, and, again, you can  
26           continue going on about aerosols and droplets and all

1       that, but I -- what, if any effect on contact  
2       transmission do masks have?

3     A   Potentially increasing it for the very reason that I  
4       said. I have -- I mean, I'm not going to excuse any  
5       individual, because there might be individuals who,  
6       miraculously, are able to wear a mask for very long  
7       periods of time and never touch it. I'm not going to  
8       say that's an impossibility, but I have watched  
9       hundreds of people throughout this pandemic, you know,  
10      because it's an area of interest of mine, because  
11      everybody's been instructed to not touch their masks  
12      because of the acknowledgment that there's  
13      contact-mediated transmission. I know it's in [REDACTED]  
14      report that he -- you know, he mentioned that as a key  
15      potential way to transmit.

16             And I have yet -- I have yet to observe any  
17      individual who has not touched their mask multiple  
18      times within certainly let's say within an hour. I  
19      have not once seen anybody not touch their mask  
20      multiple times during a one-hour span. And, again,  
21      it's just natural with these masks. There are masks  
22      that are designed to stay in place. Again, if you  
23      refer to Figure 7 that I have in my report, that type  
24      of mask will stay in place; it's got very firm  
25      headbands, and it's designed to, you know, to seal.  
26      It's got -- you'll notice that the material, if you'll

1 notice the material, it's elasticized, and it's  
2 flexible. So, for example, this individual would be  
3 able to talk, you can envision his jaw moving up and  
4 down, and all the material that's attached to the  
5 plastic face shield, it is flexible -- or not flexible  
6 but loose enough that it allows that movement.

7         And see the differences with the mask, if I'm  
8 talking to you -- if I put on a mask right now, as I'm  
9 talking to you, within -- I don't exact time, but  
10 probably within 30 seconds, the mask, again, will have  
11 migrated off my nose or off my chin, and I'll have to  
12 do an adjustment. So unless you're sitting with these  
13 masks, never use -- never chewing, like not chewing on  
14 gum, not talking, it's going to be very difficult. And  
15 even at that, you know, people get itchy noses and so  
16 on. And depending on how they take their masks on or  
17 off, there's actually -- I mean, there's proper  
18 training procedures even for putting masks on and off.

19         Especially for surgery, right, you want to keep  
20 everything sterile, you want to keep your gloves  
21 sterile, you want to keep any masks that you put on  
22 sterile, right? So the proper thing would be just to  
23 handle the mask by the straps that go over the  
24 earpiece, right, and nothing else. But people, all the  
25 time, are grabbing their mask, you know, or taking  
26 their mask and grabbing it, you know, and stick in

1       their pockets or whatever. This is not the way these  
2       masks were designed to work.

3               Again, originally, remember, these masks came out  
4       of the concept of surgery and trying to make -- keep  
5       surgical fields as clean as possible. And if you watch  
6       how a surgeon dons and doffs their surgical equipment,  
7       including their mask, it's very different from what the  
8       average individual is right now, because we haven't  
9       trained, we haven't trained the general public in that  
10      kind of, you know, what we'll call sterile technique.

11              So, no, wearing a mask in an inappropriate  
12      environment can potentially cause more harm. Again,  
13      I'm not concerned. I'm not concerned about that  
14      contact media transmission if the person isn't  
15      symptomatic.

16    Q    Right, so but, you know, I've heard you say, obviously,  
17          the masks don't work for asymptomatic, but I've heard  
18          you say they kind of work for symptomatic because  
19          they'll stop the droplets, but, in your opinion, do  
20          masks -- are they a net contributor to spread or a net  
21          inhibitor of spread when you balance out the  
22          contribution to contact spread with the reduction of  
23          droplet spread?

24    A    Okay, so I would think that the net would be  
25          potentially enhancing for the -- again, for -- again --  
26          and if it's an asymptomatic individual. And the reason

1 is if there is any --

2 Q Hold on, asymptomatic or symptomatic?

3 A The -- well, in both cases, right, they're going to do  
4 something for the -- well, again, if somebody's not  
5 sick, then I'm just not worried in general. If  
6 somebody is shedding the virus, if that's the scenario  
7 where somebody is shedding a virus, I think it's going  
8 to have a net negative result. And that's because,  
9 again, it's not designed to filter out the aerosols.

10 What happens when people put a mask on, there's  
11 well-established behavioural changes that occur, right?  
12 When we feel -- when we feel more protected, we tend to  
13 behave -- it's human nature to tend to behave in  
14 riskier ways.

15 So it's interesting, this is interesting: I play  
16 hockey, for example, I'm an ice hockey goaltender.  
17 Now, so one of the things is if you want to -- if you  
18 want a contact game -- or, sorry, a contact-free game  
19 of hockey, one of the general rules of thumb is you  
20 don't have people put on -- you put -- you have them  
21 put on the minimal amount of safety equipment. And  
22 what will often happen is because, following -- what  
23 often presents a very danger to the elbows is the elbow  
24 pads, but a lot of people will not wear the shoulder  
25 pads, because that's not a particularly risky area.  
26 And one of the reasons is is because it's

1 well-established behaviour, if you load yourself up  
2 with armour, you tend to be more risky in your  
3 behaviour, potentially more aggressive in a sport like  
4 that. And it's not different than everything.

5         And so what happens is when people -- when -- this  
6 is the problem, see if people mask, and they understand  
7 the limitations, they understand what they're designed  
8 for, where their strengths are and where their  
9 weaknesses are, you're fine. But the general messaging  
10 that people have received is that these masks are  
11 fabulous at preventing the spread of this. And so when  
12 you have that program in your mind, As long as I have  
13 my mask on, I'm not a risk now to anybody else, and  
14 they're not a risk to me; what you inevitably see is,  
15 on average, masked people will tend to interact closer  
16 than people who are unmasked, and that's just the  
17 reality.

18         And so if there is aerosol mediated transmission,  
19 if you're, on average, interacting in closer vicinity  
20 with somebody, there's the potential for greater  
21 aerosol mediated transmission than if you're not  
22 masked, you don't feel that, you know, (INDISCERNIBLE)  
23 extra protection.

24         And so that's what I argue, as a scientist, I  
25 mean, when I wear it, I know that it is -- you know, so  
26 I wear them because I have to when I go to the grocery



1 store and everything, but I recognize that they're not  
2 properly protecting against aerosol mediated  
3 transmission. And so if there can be aerosol mediated  
4 transmission, of which is active debate in the field,  
5 you know, I recognize -- I'll stay in my -- you know,  
6 far away from individuals. So that's one -- that's one  
7 potential harm.

8 So, yes, the net effect on average is the average  
9 person who is masked won't maintain as much distance,  
10 and so if they are transmitting, that could potentially  
11 be an issue. And then the other is that the contact  
12 that I just mentioned with the mask.

13 So, again, I simply -- I just am not concerned  
14 about asymptomatic or healthy people, period. But --  
15 so -- but if anything, the net result of masking --  
16 that's what I'm saying is especially if you're  
17 symptomatic, that's where the mask can stop the  
18 droplet -- the droplets, but there especially, you have  
19 to be very careful. Again, you know, if you're going  
20 to the workplace in, like I said, that I have, I have  
21 multiple masks that I change regularly, and, again, I'm  
22 mindful because I've been trained in this concept of,  
23 you know, sterile technique in the microbiological  
24 world and thinking from that perspective; because  
25 especially if you're symptomatic, you are spewing  
26 droplets into that mask, and it's getting soaked, and

1       it will soak through. This is material that's  
2       absorbant. You can think, especially with a cloth  
3       mask, it'll soak right through. And you can see  
4       that -- the wet stains. And so if you're grabbing that  
5       mask, you're going to dramatically enhance contact  
6       mediated transmission and -- and you have to be, again,  
7       mindful that when you have that mask on, although it's  
8       effective with the large water droplets, you don't want  
9       to go closer to people than necessary.

10               So, yes, you have to be very careful with masks:  
11       You have to recognize the strengths, their limitation,  
12       and you have to maintain other strategies that are  
13       independent from the mask. And by that, I mean, again,  
14       recognizing the inherent weaknesses of the masks and  
15       so, you know, not grabbing them, you know, not touching  
16       them and then, you know, touching others and that type  
17       of thing.

18       Q       So in your opinion, is this part of the reason why,  
19       after a year-and-a-half of masking, the cases and the  
20       infections just keep going up?

21       A       Yes, yeah. It's ineffective in the context of  
22       controlling the spread of SAR-Coronavirus-2. Again, I  
23       can't emphasize that enough. I use my own workplace as  
24       an example. We've prided ourselves on the fact that  
25       well over 99 percent are vaccinated, and I can tell you  
26       that the messaging both from the president of my

1 university and the Medical Officer of Health, who has  
2 presented in multiple town halls, have told us,  
3 although, again, it's -- this is -- it's often  
4 difficult to comment as a scientist, because there's  
5 the publicly acknowledged message, and then there's my  
6 message as a scientist, but --

7         So their message has been that the vaccines are  
8 excellent at protecting people, break-through  
9 infections are very rare, and it either prevents  
10 transmission or reduces that -- the number of viral  
11 particles that get transmitted, so excellent at overall  
12 trying to prevent transmission. So that's my campus  
13 community, more than 99 percent fall into that  
14 category.

15         And -- but everybody is still doing the exact same  
16 masking and the physical distancing, and yet  
17 SARS-Coronavirus-2 has ripped through our community.  
18 We recently had two -- two of our residences with  
19 outbreaks, declared outbreaks of -- so, you know --  
20 and, again, I always find it difficult. So the public  
21 messaging was those are outbreaks of COVID-19. What  
22 they really were outbreaks of people identify -- who  
23 had positive test results for SARS-Coronavirus-2. I  
24 can tell you the majority of the students, you know, we  
25 had no deaths. The vast majority of the students had  
26 mild cold-like symptoms for a couple of days.

1           I can also give you the example at my son's high  
2 school, the same Medical Officer of Health recently  
3 declared an outbreak at his school. One of the cases  
4 was confirmed, where sequencing was done, to confirm  
5 that it was Omicron. And so the whole school was shut  
6 down, right, and everybody went home. In that case,  
7 the individuals both had -- they reported mild  
8 cold-like symptoms for three days and then recovered.

9           But the whole point being in that school again,  
10 this is high school, so they've been actively promoting  
11 vaccination. It's not nearly close to a hundred  
12 percent, like in the university, where it's been --  
13 people are not allowed on campus if they're not  
14 vaccinated, but a large profession, and masking every  
15 day, right?

16          So this is all evidence -- and so that -- and  
17 again, I'll emphasize again, Omicron, that wave in  
18 terms of the number of people who tested positive for  
19 SARS-Coronavirus-2, it dwarfed, I mean, it shattered  
20 all previous records, you know, that we had in all  
21 previous waves, and this is despite not only the  
22 masking and the physical distancing that was there from  
23 the beginning but added to it what we hoped was this  
24 super strategy of vaccinating everybody. So even with  
25 that thrown on board, the masks have not stopped the  
26 spread.

1           So my professional opinion is and has been from  
2           the beginning that the way we're using these masks is  
3           not appropriate, it's not going to stop the spread, and  
4           worse, that there are harms. Again, I am not concerned  
5           in the context of symptomatic [sic] people, the masks  
6           necessarily promoting harm of spread because they're  
7           asymptomatic, they're not sick, but there are inherent  
8           harms to the mask itself, to individuals wearing them.

9           Would you like me to talk about that at all; is  
10          that something that's relevant?

11       Q   Well --

12       A   I have that in my report. I have it in my report if  
13          you're interested.

14       Q   No, and I see that. Well, I mean, you seem to talk  
15          about -- well, let me ask you this: This fact that  
16          masking potentially actually increases the spread of  
17          SARS-Coronavirus-2, would you identify that as a harm?

18       A   Yes.

19       Q   Now, I know you identified the harm of low oxygen  
20          levels, but you also, which I found interesting, you  
21          mentioned the harm of muffling speech and inhibiting  
22          communication between individuals. Do you identify  
23          that as a significant harm?

24       A   Yes, yeah. So I live in the world of special needs. I  
25          have two children with special needs, one of them does  
26          have speech difficulties. He has Down Syndrome, so I'm

1 around individuals with special needs all the time.  
2 I've interacted as a parent supporting work done by a  
3 speech therapist. And one of the things that I can  
4 tell you that has been particularly difficult, his  
5 speech through the speech therapy and also through  
6 sheer hard work, especially through my wife, his speech  
7 has dramatically improved, but this improvement has  
8 largely happened over the last couple of years. You  
9 know, he's in his formative years, he just turned 12.  
10 It was exceptionally frustrating for him early on  
11 in the pandemic and frustrating us as parents to  
12 observe, because what a lot of people don't realize  
13 that when it comes to Down Syndrome, a lot of  
14 individuals have difficulty speaking. The best way to  
15 explain or for people to experience what it's like if  
16 an individual has Down Syndrome to try and speak is  
17 there's physical reasons for this. They tend to have  
18 smaller than average mouth cavities and larger than  
19 average tongues, size of tongues, often length. So I  
20 mean, my son, if he sticks out his tongue, a little bit  
21 like a snake, so long, but also very thick, and this  
22 combines to make it hard for them to speak like many of  
23 us. Again, it's difficult for him to physically get  
24 his tongue behind the teeth or the roof of the mouth,  
25 for example, because of the length and because of the  
26 size. So it's like if we were to stuff a couple of

1 marshmallows in our mouth and then try and talk, it  
2 muffles the speech.

3         So he had difficulty being understood at the best  
4 of times, and with the mask on, that further muffles  
5 the speech. So he went through a period where he  
6 progressed so well with his communication in school,  
7 and all of a sudden, for a long period of time, his  
8 teachers lost the ability to understand him for quite a  
9 while, and he had to learn with the mask to speak  
10 louder and to learn to annunciate even better to get  
11 that back.

12         So it was very hard for that -- to see that step  
13 backwards. You know, you have to understand for an  
14 individual, especially a young person, to lose the  
15 ability to communicate your thoughts and feelings  
16 becomes very difficult. So that's just an example on  
17 that side.

18         Even in terms of muffling the speech, so, again,  
19 I'll give an example to try -- you know, to try and  
20 convey, you know, an example of -- that we might be  
21 able to familiarize ourselves with. I personally like  
22 watching professional basketball. The Toronto Raptors  
23 are my favourite team. If anybody has watched the  
24 Toronto Raptors, one of the things that you'll know is  
25 that their coach, Nick Nurse, has got himself into  
26 trouble multiple times throughout the pandemic. He

1 always wears the mask, and he's always taking his mask  
2 off, and he gets in trouble for it, you know, people  
3 from the public complain that he's not wearing his mask  
4 or not wearing it properly. And the reason he gives  
5 every single time is he's the coach, he's trying to get  
6 critical instructions to his players, and they can't  
7 hear him or understand him. And you'll see it, it will  
8 be in the heat of the moment of a game, and he's trying  
9 to get instructions to his players, and that's when he  
10 pulls his mask off and is giving instructions to his  
11 players, and then he'll put it back on.

12 And that's the case, you know, we've all -- I'll  
13 tell you in the context of teaching, we've really had  
14 to adopt the whole concept of using microphones,  
15 because it's even very -- more difficult to project our  
16 voices to the back of the classroom. So, yeah, muffled  
17 speech definitely has that in impairing the ability to  
18 communicate.

19 MR. [REDACTED] Dr. [REDACTED] and Mr. Kitchen, my  
20 apologies for interrupting, but I think we've gone a  
21 little far afield of the qualifications of this expert  
22 when we're talking about communication. We're here to  
23 talk and hear from him about transmission and efficacy  
24 and those kinds of things. I'm not trying to be  
25 unsympathetic to your comments, Dr. [REDACTED] but I think  
26 you haven't been called as an expert to talk about



1           those things.

2       A    Can I comment about the specific comments I had in my  
3           report?

4           MR. [REDACTED]                   I'll leave that up to the  
5           Tribunal. It depends on what question Mr. Kitchen asks  
6           of you, but, again, I'm not trying to be difficult  
7           here, but you were qualified to speak about the  
8           transmission and efficacy of masking and physical  
9           distancing, and I don't think we're here today -- I'm  
10          not trying to be difficult, but I don't think we're  
11          here today to talk about communication problems --

12       A    Okay --

13           MR. [REDACTED]                   -- and those types of things.

14       A    -- and I respect that. I'll wrap up then with  
15           something that definitely is in my realm of expertise,  
16           so --

17           MR. [REDACTED]                   I'll let Mr. Kitchen decide  
18           what he wants to ask you next maybe, but I just wanted  
19           to be clear we shouldn't go too far off what you were  
20           called to testify about. So I might have an objection  
21           to what you're about to say too, if it's going to be in  
22           the same vein.

23           MR. KITCHEN:                   Well, let me jump in. I have  
24           two comments: One, Mr. [REDACTED] let me know if you're  
25           going to apply to strike that, because we'll have to  
26           deal with that. Two, it doesn't take expertise to do

1        what he's doing: He's observing reality as a  
2        scientist. You know, if he told me that clouds were  
3        made out of water droplets, it's the same as saying  
4        that masks muffle speech. So I don't think it requires  
5        any expertise, but, nonetheless, I take your point.

6        Q    MR. KITCHEN:                    So, Dr. [REDACTED] let me ask you  
7        this: What would you identify as the three most severe  
8        harms of masking? Oh, hold on, you're muted.

9        A    Okay, yeah, I listed quite a few. Let me just go to  
10       these points if you don't mind.

11       Q    Yeah, I'm on page --

12       THE CHAIR:                    Excuse me, Dr. [REDACTED] what  
13       page are you on in your report?

14       A    Actually, I'm looking for the page right at the moment.  
15       Okay, so page 8 would be one. So page -- I've listed  
16       my concerns about the masking and potential harms on  
17       page 8, and then also I would like you to refer to page  
18       14, where I have some additional ones, and one that I  
19       would highlight perhaps is one of my biggest concerns,  
20       as Mr. Kitchen had indicated.

21                First of all, related to this, there's something  
22       that I was hoping to have the opportunity to say, it's  
23       directly related to this, in the expert report from  
24       Dr. [REDACTED] that I was able to look at, there was an  
25       accusation made against me actually with respect to  
26       these harms. Can I just address that for a moment?

1 Q MR. KITCHEN: Well, that's fine with me, but  
2 my friend might take issue with that, and I can  
3 understand why.

4 MR. KITCHEN So, Mr. [REDACTED] I was going  
5 to ask him a question on that. If you want me to hear  
6 him [sic] ask the question, I can do that if that's  
7 helpful to you.

8 MR. [REDACTED] Well, that might be helpful.  
9 I think it's fair for your client to comment on  
10 Dr. [REDACTED] report, but I think it depends on the extent  
11 of your question or the type of your question.

12 A Okay, what I would like to do, if you don't mind, I'll  
13 just read something of the report and then see if  
14 you're okay with me just commenting on it. Just let me  
15 find this when it comes to the dangers.

16 Q MR. KITCHEN: Well --

17 A Okay, yeah, so the comment that I want -- the thing I  
18 want to comment on is in the -- Dr. [REDACTED] report on page  
19 8, the one, two, third paragraph down. He says: (as  
20 read)

21 Lastly, both Dr. [REDACTED] and Dr. [REDACTED] make  
22 unsubstantiated claims that there are  
23 numerous harms associated with masking.

24 And then states: (as read)

25 There are no known harms associated with  
26 masking.

1           So that is what I was hoping to respond to.

2    Q    Yes, well, I'll let you respond however you like,  
3           but -- well, let me ask you, I take it you would say  
4           that claim is inaccurate?

5    A    Yes, and I provided scientific citations to demonstrate  
6           that that I'd like -- there is one in particular I'd  
7           like to highlight that is clearly within my realm of  
8           expertise, and it's a serious concern that I have.

9    Q    And I want to hear your comments to that, and I --

10   A    Okay.

11   Q    -- invite you to, but I want to also ask you this:  
12           That claim coming from a public health doctor, is it  
13           merely inaccurate, or does it rise to the level of  
14           willful ignorance?

15   A    Well, yeah, that's -- yes, that's why I wanted to  
16           comment on it, and also accusatory, indicating that  
17           we -- you know, that we -- suggesting that we have  
18           failed to -- or that I have somehow failed to  
19           demonstrate harms associated with masking.

20           And, yeah, because there's numerous -- there are  
21           numerous potential harms with masking. So I guess  
22           this -- yes, and so I'll highlight. So if you like, I  
23           can pick three. I can think of two right off the top  
24           of my head, and I can look through the list.

25           But I guess what I would do is bring people to the  
26           attention of those two pages, because I list numerous

1 potential harms on page 8, and I mention several more  
2 on page -- as I said, page --

3 Q 14?

4 A -- 14. So it isn't that I failed to identify, and  
5 these are substantiated, and I have peer-reviewed  
6 scientific publications to back them up, so this --  
7 yeah, that's what I just wanted to mention is that is,  
8 I feel, a very untruthful statement and accusation  
9 against me.

10 So let me go on to some of the major concerns.  
11 I'll start with the hygiene hypothesis. So I just had  
12 been asked to comment on harms with the mask, so this  
13 one focuses on children. But what people need to  
14 understand, and I wrote an article about this early  
15 on -- after one year into the pandemic. I wasn't  
16 concerned when we were told it was two weeks, you know,  
17 and that was the original warning, even if it was a few  
18 months.

19 But after a year, I expressed this serious  
20 concern. It used to be called the hygiene hypothesis,  
21 but the concept is this is that we're designed to  
22 interact and interface with our microbial world. It's  
23 absolutely required for proper physiological  
24 development. For example, many people have shown --  
25 and this has been shown with what we call  
26 gnotobiotically delivered animals, so animals that have

1 no microbiome whatsoever. Behaviours are fundamentally  
2 altered. They have the -- the development of the  
3 central nervous system is altered. But one of the key  
4 things is the immune system does not develop properly  
5 if we don't have proper interaction, as we are growing  
6 up with the microbial world.

7       So a lot of people don't realize when we're  
8 born -- so, first of all, when we're born, we are  
9 immunologically naive. Unless there was some kind of  
10 in-utero infection, meaning infection of the fetus  
11 while in the mother, then when born, the vast majority  
12 of us are immunologically naive: We have not been  
13 exposed to anything in the microbial world up to that  
14 point.

15       But further -- so that means that our immune  
16 system learns to interact with the immune system  
17 following birth. Further, and because of that -- and  
18 actually because of that and to have that opportunity  
19 to learn what is dangerous and what is not dangerous in  
20 the microbial world, our immune systems do not reach  
21 full maturity, they are not fully developed until about  
22 the age of 16, and the vast majority of that  
23 development occurs between birth and the age of 6

24       And what we know is that if and especially young  
25 people are not allowed to be exposed on a regular basis  
26 to the microbial world, their immune system does not

1 develop properly, specifically the ability to  
2 differentiate between the non-dangerous microbes that  
3 we encounter all the time and the genuinely dangerous  
4 pathogens. And it's only the latter we want to respond  
5 to, because if you can imagine if we -- if our immune  
6 system is what we call dysregulated, and it thinks that  
7 non-harmful microbes are worth responding to, that's  
8 very dangerous, because we have non-harmful microbes  
9 all over and inside our body.

10 An individual who responds inappropriately, for  
11 example, to -- and it's -- and it's many things, it's  
12 in our environment, it's even the food that we sample,  
13 the air that we breathe, the dust particles that we're  
14 exposed to in the environment. If we're not adequately  
15 exposed and our immune system learns to tolerate these  
16 things, not respond, then we can end up with problems  
17 like chronic inflammation in certain locations.

18 So, for example, if somebody were to develop a  
19 food allergy, right, that food is something we should  
20 be tolerized against, that you're going to have chronic  
21 inflammation in the gut when exposed to it, or if you  
22 haven't been properly exposed to the environment, so,  
23 for example, a lot of people who are mainly -- you  
24 know, grow up in urban areas might have more of a  
25 propensity towards things like hayfever, because when  
26 young and their immune system was learning to

1 differentiate the dangerous things in our environment  
2 from the non-dangerous things, they weren't exposed to  
3 some of these things that you're exposed to in a rural  
4 environment.

5         And so what -- and so this is very important, and  
6 the reason why this is important is because one of the  
7 things that masks are exceptionally good at filtering  
8 out are large particles, like I said, large water  
9 particles, that also includes dust particles, so  
10 environment -- things we are exposed to in the  
11 environment that are not dangerous and also bacteria,  
12 especially bacteria. And a lot of this development is  
13 not actually around the virome that populates the body,  
14 but it is, in fact, the bacterial.

15         So, for example, in these gnotobiotic animals that  
16 have no microbiome whatsoever, if you want to correct  
17 the behavioural deficits that they will develop and the  
18 immunological deficits, we can repopulate their gut,  
19 for example, with a lot of these what we call like  
20 probiotic bacteria, the same ones you would get in  
21 yogurt, like lactobacillus, for example, so it's  
22 largely these bacteria, these non-harmful bacteria that  
23 allow us to, you know, to educate our immune system.

24         Without that, what happens is a child's immune  
25 system tends to become dysregulated, never learns to  
26 differentiate properly, and individuals are at a much



1 enhanced risk of developing autoimmune disease --  
2 anything that's disassociated with an improperly  
3 regulated immune response. So allergies, which is  
4 responding to non-dangerous things in our environment  
5 and causing inflammation against them; asthma is when  
6 you're responding to inert things in the air that you  
7 inhale and responding inappropriately to those, that  
8 cause asthma; and autoimmune diseases.

9       And so, and we know this is the case, because so,  
10 for example -- and this is largely looking at those who  
11 grew up largely in urban centres versus those who grew  
12 up on farms. Those who grew up on farms are much more  
13 exposed on a regular basis to a rich microbial  
14 environment. And so those who grew up in these urban  
15 area -- or, sorry, rural areas have a much lower  
16 incidence overall of allergies, asthma, and autoimmune  
17 diseases.

18       And so by -- so, again, by putting these masks on  
19 children, first of all, they're not at high risk of the  
20 most severe outcomes of SARS-Coronavirus-2, and I've  
21 already explained one of the physical reasons, they  
22 just don't -- simply don't express the receptors at  
23 nearly the concentration that adults do in their lungs  
24 that the virus uses to infect. But we have put masks  
25 that are effective at isolating their lungs from the  
26 microbial environment, and we, of course, isolated

1     them, kept them away from their friends, a lot of  
2     family members, and a lot of social interactions.  
3     Literally, for children, it's a good thing to get  
4     dirty, to get dirty, to have dogs lick their faces, to  
5     hug other people, that their immune systems need to  
6     interact with other microbiomes in order to develop  
7     properly. So that is an immunological concept that  
8     long-term masking -- and, again, nobody has any  
9     concern. I mean, kids get sick, and maybe they're at  
10    home, relatively isolated for a couple of weeks. It's  
11    not a problem if it's a couple of weeks or it's a  
12    couple of months. But once we start -- I wrote my  
13    article first about my serious concerns about that a  
14    year in. A year is getting too long. A year is a  
15    substantial amount of immunological development in a  
16    young person. And now we're at two years with no  
17    current end in sight. So that is a serious potential  
18    harm. By masking children, we are potentially, there's  
19    no question, we're going to have an unknown number of  
20    children with allergies, asthma, and autoimmune  
21    diseases in the future, and they're going to have those  
22    for the rest of their lives because we masked them for  
23    two-plus years. So that's one.

24           And then I guess another one that I would mention  
25    is this idea of carbon dioxide, because this is just  
26    intuitive, so, you know, fire fighters have the

1 equipment to do this. At my university, we have the  
2 ability to do this, look at CO2 levels, and we often do  
3 that when looking at how we adjust the air change rate  
4 in our rooms, especially the work rooms we work in a  
5 lot, like the laboratory space that we're in, the  
6 animal research rooms that we're in.

7       And so if you monitor the carbon dioxide level in  
8 front of your mouth without a mask and then with a mask  
9 on, it goes up. And this makes intuitive sense,  
10 because what you're doing by putting a mask on your  
11 face is you are restricting, you know, the free flow of  
12 oxygen. What you're doing is you're creating an  
13 additional dead space. When we exhale, when we exhale,  
14 there's always dead air. We cannot get all of the air  
15 out of our lungs, and we can't get all of the air out  
16 of our mouth. That's dead air. When we inhale, that  
17 dead air, when there's not been fresh air exchanged,  
18 gets inhaled back into the end of the lungs.

19       By -- so by putting on a mask, you're extending  
20 that dead air space a bit, and so it does increase the  
21 carbon dioxide level a little, not a lot, a little, and  
22 this creates a condition of very mild hypoxia, it's not  
23 severe hypoxia, but if you have high carbon dioxide,  
24 then the net result is you have slightly higher --  
25 lower oxygen levels. But, again, slight changes in  
26 oxygen concentration we know can have profound

1 physiological consequences.

2       So, for example, on the positive side, endurance  
3 athletes, especially if they know they're going to have  
4 to compete at a higher elevation will often go to train  
5 in areas with a higher elevation. There's not a  
6 massive change in the oxygen concentration, but by  
7 going there for a long period of time, being exposed to  
8 that lower oxygen concentration and training in that  
9 environment, their body gets more efficient at the  
10 oxygen exchange. Then they can perform better in the  
11 sporting activity at a higher elevation.

12       But so we're kind of expecting this from  
13 individuals. So we're putting masks on -- again, I'd  
14 like to emphasize, masks make sense if you're going to  
15 wear it to go into work for, you know, a little bit of  
16 time because you have to meet a deadline, but you're  
17 sick. They make sense when you're doing surgical  
18 procedures. You're doing a limited procedure, you  
19 leave, you take the mask off. They're not designed to  
20 be left on for long periods of time and exposing people  
21 to chronic low levels of hypoxia.

22       And, again, I'd like to highlight this is just  
23 kind of intuitive in the sense that -- like I know for  
24 myself, if I wear -- and I wear masks all the time  
25 except for surgical intervention stuff, but if I wear a  
26 mask for several hours, I start developing a headache,

1 constant thing and consistently. I need to take a  
2 break; I need to get out in the fresh air.

3 And I would encourage anybody, if -- just focus,  
4 put on the mask and go outside, because often that's  
5 where the air, you know, seems the freshest and  
6 everything, keep your mask on and take several deep  
7 breaths, right, and pay attention to what it feels  
8 like. Then take that mask off and take in a big deep  
9 breath; it feels so refreshing. And that's why,  
10 because we are impacting, albeit to a small degree, our  
11 ability to gas-exchange, by taking off that mask, we're  
12 removing some of the dead air space that we've created;  
13 we're reducing the dead air space.

14 And this has -- because we've never done this for  
15 such a long period of time, we simply don't actually  
16 know the extent of harm that we might be causing,  
17 especially to developing children again, I'd like to  
18 highlight, right, this constant, prolonged exposure to  
19 low-level hypoxia it might be causing.

20 So I think I'll leave it at that, if that's okay,  
21 Mr. Kitchen. I -- I mean, I could look through and  
22 provide another one, but those are probably my two top  
23 concerns at this point in general.

24 Q Thank you. I am going to try to bring you through  
25 pretty quickly, I want to give my friend a chance to  
26 cross-examine, and we are down to, you know, roughly

1     only two hours left.

2     MR. KITCHEN                             Well, Mr. [REDACTED] let me ask  
3     you this because I want to be mindful of this. How  
4     much time do you think you're going to want for  
5     cross-examination?

6     MR. [REDACTED]                         Mr. Kitchen, I expect I'd  
7     be -- and this is not a criticism of Dr. [REDACTED] but he  
8     seems to give expansive answers -- so thank you,  
9     Dr. [REDACTED] for that -- I would anticipate 20 minutes,  
10    maybe a little longer just because of the nature of the  
11    answers, but I don't think I'll need terribly long.

12           I'll leave it up to you in terms of how much you  
13    think you'll want to be, but it may be time to take a  
14    break right now as well, given how long you've been  
15    asking questions.

16    MR. KITCHEN:                           Yeah, yeah, I agree.

17    THE CHAIR:                             Yeah, it's, by my watch, 5 to  
18    3, so let's take 15 minutes, and we'll come back at 10  
19    after 3 and resume then, okay?

20    MR. KITCHEN:                           Thank you.

21    THE CHAIR:                             Just a reminder, Dr. [REDACTED]  
22    you're still under oath.

23    (ADJOURNMENT)

24    THE CHAIR:                             And, Mr. Kitchen, we'll turn  
25    it back to you.

26    MS. [REDACTED]                         Sorry, Mr. Kitchen, we can see

1           you talking, but we actually can't hear your audio.

2           MR. KITCHEN:                        Sorry, I have a mute button on  
3           my mic, so I apologize, so you missed --

4           MS. [REDACTED]                       No worries.

5           MR. KITCHEN                         -- the last 10 or 15 seconds,  
6           sorry.

7    Q   MR. KITCHEN:                        Dr. [REDACTED] I just have some  
8           specific questions about comments that Dr. [REDACTED] has made  
9           both in his report and in questioning.

10                 Dr. [REDACTED] has stated that every country that has  
11           imposed masking has experienced decreased transmission  
12           of COVID; do you disagree with him?

13   A   Yes, I do. I'll point out again, you know, like -- you  
14           know, my expertise isn't epidemiological per se, but as  
15           a researcher, I certainly am qualified to look at the  
16           scientific literature and interpret some basic data.

17                 I do know of numerous countries where the opposite  
18           is true. And, in fact, when we look at the United  
19           States, we see states where that trend is the opposite  
20           as well. I know that Dr. [REDACTED] did not like the example  
21           of Sweden, but I mean that is an example. He didn't  
22           seem to cite any science to -- he just said it's, you  
23           know, complex to interpret the reasons for observing  
24           differences, but, nevertheless -- and he didn't dispute  
25           either that Sweden is a classic example of, you know, a  
26           country where they went the natural immunity route, and

1       seem to have done just fine, and there's other  
2       examples. But, yeah, so, in other words, that all we  
3       need is one example to say that that is not true. So I  
4       do disagree with that overgeneralization.

5   Q   You just called it an overgeneralization. So is that a  
6       fairly absolute statement?

7   A   Could you remind me what page of that report is it on,  
8       just so I can look at it myself?

9   Q   I'm quite sure he said that in questioning, not in his  
10      report.

11  A   Oh, can you repeat --

12  Q   I do know --

13  A   -- (INDISCERNIBLE) --

14  Q   -- that he said it --

15  A   -- so could you repeat it again, please?

16  Q   So he said that every country that has imposed  
17      mandatory masking has experienced decreased  
18      transmission of COVID.

19  A   Okay, so, yeah, that's not an overgeneralization,  
20      that's incorrect. Again, when somebody has said  
21      "every", and all we need is one example where they  
22      didn't do it, and the -- you know, the outcome has been  
23      fine, like Sweden, so that makes it not just an  
24      overgeneralization, it makes it incorrect.

25  Q   Do you find it unusual that he makes such an absolute  
26      statement?



1     A     Yes.  So in the sciences -- so I even mentioned this  
2           before when I was giving examples of -- when we were  
3           talking about asymptomatic and transmission, right,  
4           I -- there is asymptomatic transmission.  It's not  
5           common, and it's not a driver in this.  And when I  
6           mentioned, when I talked about that, is when you're  
7           dealing with biology, there are no absolutes.  Biology  
8           is not an absolute science.  It's not black and white.  
9           It's not like mathematics, it's not like chemistry,  
10          it's not like physics.

11                 Biology, there are general ways that, you know,  
12           biological systems function, and there's almost always  
13           exceptions to the rule.  So there's what the dominant  
14           biology is, and then there's always exceptions to the  
15           rule.  So very rarely, if ever, can you make definitive  
16           statements like that when it comes to biology,  
17           especially when you're talking about fairly complex  
18           biology.  Because here, we're talking about -- we're  
19           not even talking about one biological system, like  
20           people, like humans; we're talking about the  
21           biologic -- the biology of people interfacing with the  
22           biology of a virus in the context of a complex  
23           environment.  So there's absolutely no way you can make  
24           absolute statements like that in the context of this  
25           current medical scenario.

26                 That's -- so, again, that's the -- you know, so as

1 a scientist, that's not the appropriate scientific  
2 approach. One has to be open to the fact that there  
3 are exceptions. What we always have to do, and also to  
4 explain, the way science and medicine is supposed to  
5 function is we should -- we need to weigh the weight of  
6 the overall evidence.

7       Again, because there often are not absolutes,  
8 often things are not intuitive or common sense, what  
9 often happens is -- I mean, so it's very clear in  
10 science, if somebody put -- as soon as -- so the first  
11 time a paper is published, that's obviously the first  
12 report on a given scientific issue, so it sets the  
13 tone. At that point, that becomes what the scientific  
14 community agrees at that point in time, early point in  
15 time, seems to be the reality. If the subsequent  
16 scientific literature is all in agreement, that's  
17 something that usually then gets enshrined in science  
18 as a -- as, you know, sort of as a classic paradigm in  
19 science. But as soon as you have disagreement, say the  
20 second publication find -- finds something different,  
21 at that point, you automatically need additional  
22 research to be done to sort out the problem.

23       And so at the end of the day, it's never about --  
24 and so especially one thing to keep in mind, you know,  
25 my advice to everybody with this is there's a lot of  
26 science that has accumulated over the past two years,

1 and, therefore, it's always about the weight of the  
2 science. They're not about citing one paper or, you  
3 know, two papers or selective papers. One has to look  
4 at the overall weight of the evidence, like on scales,  
5 and see what the balance of that evidence is. So,  
6 yeah, just by the very nature, we can't, in this  
7 scenario, make such conclusive statements.

8 Q To give Dr. [REDACTED] to properly and fairly characterize his  
9 position -- and my friend can interject if he disagrees  
10 with me -- Dr. [REDACTED] has said the evidence for the  
11 effectiveness of masking in reducing the spread of  
12 COVID-19 in a health care setting is overwhelming, and  
13 there's heaps and mounds of it. And then he says in a  
14 non-health care setting, well, it's less clear. He  
15 makes no distinction between asymptomatic or  
16 symptomatic; he simply says in a health care setting,  
17 it's guaranteed to work, we know absolutely it works,  
18 there's just no question, maybe there's a question  
19 about the community.

20 What I've heard you say is, Well, look, it doesn't  
21 work at all for asymptomatic people, it's just -- it  
22 just doesn't -- it's not even relevant, it's not even  
23 logical because they just don't spread it because  
24 they're asymptomatic, there's no asymptomatic spread.  
25 So, you know, you two, as experts, you're kind of  
26 talking at cross-purposes.

1           So I want to ask you about the health care  
2           setting, okay, and then the non-health care setting,  
3           because that's how he's done it, okay, to be fair to  
4           him.

5           So he says that the evidence for the effectiveness  
6           of masking in the health care setting is, quote,  
7           Overwhelming, and, quote, There's heaps and mounds of  
8           it. Would you agree with that or disagree?

9    A    Yeah, we wouldn't be here today hearing this case if  
10       there was universal agreement and if it was  
11       overwhelming evidence. This is an area of active  
12       debate. It's an area of active research. I looked at  
13       Dr. Hu's report, because the other experts have  
14       provided that. Where the misunderstanding comes in is  
15       this concept of asymptomatic transmission and this  
16       misnomer, this concept.

17       Where it's been most exaggerated, for example, is  
18       children. We've mislabelled children as somehow being  
19       these individuals that rarely get sick but are  
20       overflowing with large quantities of this incredibly  
21       pathogenic virus, right, so they can spread it to  
22       others. That's simply not the case.

23       So, again, I highlight, Dr. ■ and I are not far  
24       off in our view of masking. We're in complete  
25       agreement that masking makes sense if you're  
26       symptomatic, and it can very much help as a tool to

1     curb the spread if you're symptomatic, and you're  
2     choosing to go around other individuals in that state.  
3     But not asymptomatic.

4             I mean, this is again, intuitively, I guess, you  
5     know, again, to put it in a perspective that maybe the  
6     average layperson could appreciate, knowing what I told  
7     you about the Omicron variant, where the reality is the  
8     average flu is more dangerous than the Omicron variant  
9     for the vast majority of the people, especially the  
10    very young, for which SARS-Coronavirus-2 is not  
11    particularly dangerous, but, you know, we've never  
12    implemented this, if this asymptomatic transmission was  
13    always such an issue, and we were to accept this now as  
14    a paradigm, we'd have to apply this to every -- every  
15    infection -- we would never -- we would never know if  
16    somebody is ever, quotes, healthier or unable to  
17    transmit to anybody else. There would be no way of me  
18    knowing of somebody else who has no signs or symptoms  
19    has, you know, in their lungs, respiratory syncytial  
20    virus or a flu virus or Norwalk virus or any of the  
21    viruses that we face. So just from that perspective,  
22    it's counterintuitive.

23            And this is definitely within the realm of  
24    immunology, and it comes largely from a  
25    misunderstanding -- and, again, you know, with all due  
26    respect, the average physician who has been in a

1 position of authority, you know, to implement policies,  
2 and this is one of the reasons why -- a lot of people  
3 don't realize it, and this is an area I have expertise  
4 in as well because we have an emergency preparedness  
5 plan in our university for responding to a pandemic.  
6 We were required to implement this by the Government  
7 following the 2009 flu, declared swine flu pandemic,  
8 where people realized that there was initially -- the  
9 response was one of panic and realizing that we really  
10 did not have a coordinated response, we hadn't really  
11 prepared for such a scenario. Now, that turned out --  
12 that fizzled and that was not a true pandemic.

13 But so all the -- the Government made all publicly  
14 instituted -- institutions, including my university,  
15 come up with a pandemic preparedness plan. Our country  
16 came up with a pandemic preparedness plan. Every  
17 province and territory was required. We threw these  
18 out within the first week to two. At my institution,  
19 we threw it out within five days of the pandemic being  
20 declared, and we haven't been following any defined  
21 plan since.

22 And that applies at the Federal level as well.  
23 We -- like, if you look, we still don't know what the  
24 goalposts are. We don't know what the finish line is  
25 before we declare that we're out of this. In fact, the  
26 goalposts have kept moving.



1           And what I can tell you is that in those pandemic  
2 preparedness plans, none of them looked like this at  
3 all. They relied on the more traditional ways that we  
4 approach this kind of problem, which was you treat  
5 people who are sick as sick, and you keep them away,  
6 especially from the vulnerable populations, and you  
7 focus your protective efforts and your protective  
8 measures on the high-risk demographics if, if, and when  
9 a pathogen shows a predilection towards causing harm in  
10 limited demographics. And so, you know, we haven't  
11 reached that point here. You know, we didn't follow  
12 those kind of plans, and so this is where we've come in  
13 with these other approaches.

14           And what I do want to point out then is --  
15 actually to get back on track, Mr. Kitchen, can you  
16 remind me what your core question was? I was just  
17 coming to it, and I wanted to find something in the  
18 report here.

19   Q   Well, like I said, Dr. ■ says, end quote, heaps and  
20 mounds of evidence supporting the effectiveness of  
21 masks in --

22   A   (INDISCERNIBLE)

23   Q   -- a health care setting --

24   A   -- yes, and so -- so, no, that is a point of  
25 contention, and so his report even highlights this. So  
26 one of the things -- I mean, he hasn't -- he hasn't

1 cited heaps and mounds of evidence. It's a limited  
2 number of citations.

3 And this is -- so this is something that I want to  
4 deal with head-on just so that people, when  
5 interpreting the two reports, can understand. He  
6 accused me of solely leaning on outdated documentation,  
7 or maybe not solely but certainly leaning on outdated  
8 documentation when it came to my report. People are  
9 free to look at my reference section. I have lots of  
10 updated citations in there.

11 I want to highlight that, in fact, after accusing  
12 me of using outdated literature, the two things that he  
13 most emphasized when talking about this -- when talking  
14 about this concept of masking, the first one was a  
15 citation from 2011. So he actually set the record for  
16 the oldest cited paper with respect to masking and  
17 citing the one from 2011, a Cochrane review. And so --

18 Oh, and the other thing he said is he accused me  
19 of using examples from other viruses. And I want to  
20 point out that this 2011 one is the oldest -- second  
21 oldest reference of all the reports about masking and  
22 dealt with influenza virus, not SARS-Coronavirus-2.

23 And one where he spent half of a paragraph  
24 highlighting it was actually to describe what he felt  
25 was, you know, sort of break-through work that was  
26 done, and it's a study that was done in the early



1 1900s, which shattered records in this in terms of the  
2 oldest citation, and that certainly wasn't dealing with  
3 the SARS-Coronavirus 2.

4 So he's got that aspect wrong in terms of arguing  
5 that he's got the updated literature. And, in fact, I  
6 just want to highlight this as well, because this is  
7 overstated again, he actually said in his report, on  
8 pages 1 -- at the very end of page 1, the final last  
9 few words, onto page 2, he said: (as read)

10 A vast majority of literature [this means his  
11 literature] is from the years '20 to '21 with  
12 emphasis on literature published in 2021.

13 So I actually went to his reference section, because,  
14 again, I do lots of review of, you know, scientific and  
15 medical documentation, and I excluded some of these  
16 because they're not peer-reviewed articles. A couple  
17 of them are websites. One of them was a website where  
18 he -- that described the 2011 paper, the source of the  
19 2011 paper that he got.

20 And so, in fact, it turns out that of his  
21 citations, 19 of his citations about masking, of those  
22 19, 11 were from 2020 to 2021. That's 58 percent. So  
23 that's not a vast majority of the literature. And he  
24 then emphasized that most of it was from 2011. Well,  
25 in fact, only two of those is 11 -- sorry, two, the  
26 emphasis was on literature published in 2021, but only

1 two of those 11 papers were from 2021, 18 percent of  
2 the papers cited since 2020 were from 2021.

3 And so I think it's important, again, otherwise,  
4 it gives a misconception that somehow he's captured the  
5 recent, cutting-edge data, and I have -- again, people  
6 are free to look through -- I've got plenty of  
7 citations from 2020 to 2021, so that's not the case.  
8 It's not -- this isn't the case of somebody having --  
9 understanding current literature, and somebody else,  
10 myself, not understanding the current literature and  
11 only focusing on historical literature. I want to  
12 point that out.

13 Further, he even states in this, if I can find it  
14 here, and this is important because this is a very  
15 important thing for us to understand, because we're all  
16 hearing public messaging, and we're all trying to sort  
17 through this information and understand, and there is  
18 lots of misinformation, there's genuine information,  
19 and there's been messaging that's been changing over  
20 the course of this. And so this is very important  
21 because one of his critical sources of information  
22 about this are public health officials, especially  
23 Dr. Theresa Tam, and that's why I'm hoping I can just  
24 find this here quickly. Where is it?

25 Q He mentions Theresa Tam on page 8. I don't think he  
26 mentions her anywhere else.

1 A Okay, thank you. Oh, Dr. -- sorry, I mean Dr. Tan,  
2 sorry. Do you see the reference to Dr. Tan?  
3 Q T-A-N?  
4 A Yes.  
5 Q 'N' as in "nothing"? No.  
6 A Medical Officer of Health. Give me one second, because  
7 this is an important point.  
8 Q Okay.  
9 A Let me just pull up the document here.  
10 Q Do a search on it.  
11 A Sorry for the extra time, but I just want to make sure,  
12 because this is important.  
13 Q I don't find anything for T-A-N.  
14 A Okay, sorry, yes, that's why, I meant Theresa Tam. I'm  
15 getting her Medical Officer of Health, her name messed  
16 up here, it's Theresa Tam, Dr. Theresa Tam --  
17 Q Yeah, page 8.  
18 A -- so this is on page 8 just before the summary, the  
19 subheading "Summary", and this is when talking about  
20 that that I made unsubstantiated claims, that there are  
21 numerous harms associated with masking, there are no  
22 harms, but we've already discussed that.  
23 And then -- this is very important, because --  
24 this is very important here, so what he states in that  
25 last sentence: (as read)  
26 Indeed, public health experts, including

1           Dr. Theresa Tam, have walked back any  
2           statements alluding to the potential harms  
3           and increased infection risk of masking.

4       There's no scientific documentation there, so  
5       peer-reviewed literature, and what this is -- so what  
6       he means, what he means, and if we're blunt about it,  
7       is that Dr. Theresa Tam has completely contradicted  
8       herself in the context of this pandemic.

9           And specifically what he's referring to when he  
10       talks about walking back in his statements, it was that  
11       a lot of top public health officials, including  
12       Dr. Tam, Dr. Fauci in the United States, and others and  
13       agencies like Health Canada were actually discouraging  
14       the use of masks and widespread use of masks earlier on  
15       in the pandemic and widespread use of masks earlier on  
16       in the pandemic, and that was because of the scientific  
17       evidence available at the time.

18           So, yes, they later walked back the statements,  
19       and I can tell you that I have yet to know what the  
20       scientific foundation is for Dr. Theresa Tam walking  
21       back that statement. And I point out, as you can see  
22       by the wording here, you can ask yourself, it's not  
23       scientific, I don't know what walking back a statement  
24       actually means. She never rescinded the statement.  
25       Yes, I will agree that she downgraded the -- I guess,  
26       the importance she placed on that, you know,

1 down-playing of masking as an effective protective  
2 strategy in the context of SARS-Coronavirus-2 early on,  
3 but she never rescinded it. She did, indeed, dampen it  
4 or walked it back to some degree. And, again, I have  
5 yet to see, she hasn't produced any peer-reviewed  
6 scientific literature that I've seen.

7       Now this -- so this becomes very critical, because  
8 I'm not going to say -- I can tell you there's lots of  
9 literature to suggest there's harms of masking, and it  
10 doesn't work, and, again, this comes down to the whole  
11 disagreement is about asymptomatic transmission. And,  
12 again, I highlight that in the studies that are cited  
13 to support this, the vast majority of those studies are  
14 defining transmission based on PCR positivity, not  
15 proof -- not demonstrating with using the functional  
16 virology assay that I said, that there is definitively  
17 replication-competent viral particles in the sample,  
18 especially at a concentration that would meet the  
19 threshold required to cause infection in other  
20 individuals.

21       So a lot of those studies actually agree,  
22 potentially, with the outcome that made -- where they  
23 measured what they did, but they didn't prove that  
24 there was transmissibility of the sample that they were  
25 collecting. And so that's what it comes down to is how  
26 we interpret asymptomatic transmission in this.

1     Because like I said, we are all in uniform agreement  
2     that if somebody is sick, this makes some sense.

3             And then the other thing is, which I was very  
4     surprised, because often scientists who have been  
5     speaking out in a way that's perceived to be against  
6     the narrative, one of the arguments that constantly  
7     comes up is, well, you haven't proven your point with  
8     the randomized controlled trials.

9             So I want to explain to everybody, a lot of  
10    people, when it comes to clinical medicine, consider a  
11    randomized controlled trial to be the be-all and  
12    end-all. It's where you actually look at a relevant  
13    clinical setting, and you have your treated group and  
14    your placebo group or untreated group. If you're  
15    talking about masking and SARS-Coronavirus-2, it would  
16    be a compilation in the context of SARS-Coronavirus-2  
17    with the potential for it to be transmitted, and you  
18    would have a population that's masked and a population  
19    that is unmasked, that would be the negative control  
20    group, and then you actually see if there is an effect.  
21    So for everything that has not been accepted in the  
22    public health narrative, it's because there hasn't been  
23    a randomized controlled trial.

24            Let me give you an example. The same Dr. Theresa  
25    Tam told all of Canada that the concept of vitamin D  
26    reducing the potential for infection is fake science.

1 I can believe -- I'm an immunologist. I'm even left  
2 with -- I've actually sent a letter to my  
3 administration university telling me [sic] that am I  
4 going to get in trouble if I continue to teach  
5 immunology like I have during my whole career, because  
6 I can tell you vitamin D is a critical component of the  
7 immune system. There are -- it functions at such a  
8 basic fundamental level with so many aspects of the  
9 immune system.

10 Without it, it would be like if somebody is  
11 familiar with cars and a car engine, it would be like  
12 if you have a high-performing race car, say, a  
13 Formula One race car, there's no question, if you  
14 deactivate one of the cylinders in that engine, it is  
15 not going to perform as well as if it had that cylinder  
16 functioning. It's not going to be competitive in the  
17 race.

18 And that's the case with vitamin D. I mean,  
19 there's thousands and thousands of papers -- I can tell  
20 you -- I can give you 77 citations right now that show  
21 the benefit of vitamin D in the context of  
22 SARS-Coronavirus-2. That's why we have -- one of the  
23 reasons we have our annual cold and flu season. As an  
24 immunologist, I often don't refer to it as the cold and  
25 flu season, I refer to it as the low vitamin D season.

26 THE CHAIR:

Dr. [REDACTED] I'm not sure that

1 vitamin D was really relevant --

2 A No --

3 THE CHAIR: -- to --

4 A -- no, I'll probably be back to it immediately, yes,  
5 thanks, I appreciate that. So my next comment  
6 immediately ties it in.

7 And the point being that it was declared that a  
8 randomized controlled trial, therefore, was needed to  
9 prove the effectiveness of vitamin D in the context of  
10 SARS-Coronavirus-2.

11 And so that's where this ties in. So when you  
12 have an area where there is definitely, clearly, far  
13 more debate going on and the science is -- it's why you  
14 have even more reason for a randomized clinical trial  
15 if you really want to sort out this issue.

16 Now, what I was honestly shocked by is in Dr. [REDACTED]  
17 report, he acknowledged that but then went on to  
18 proceed to argue that a randomized controlled trial  
19 could not be done because this is such a cut-and-dry  
20 topic, because everybody is in such uniform agreement  
21 that masking works in the context of SARS-CoV-2. Well,  
22 clearly, that is not the case. If nothing else, my  
23 expert opinion disagrees with his expert opinion.  
24 There's evidence of nonuniform agreement right there.  
25 And when scientists disagree, we need further research  
26 to work it out.



1           Now, I want to highlight something, because this  
2   is very important to understand, randomized controlled  
3   trials has been -- that's been the basis for promoting  
4   anything to do with treating or protecting from  
5   COVID-19. So what we get to here, and I just want to  
6   go to this now -- I thought I'd have these better  
7   marked -- so I want to get to this where he talks about  
8   the randomized controlled trials, and I think this is  
9   in his rebuttal section. And it talks about -- he uses  
10   a -- an analogy there. Let me see here. Okay, yes,  
11   right here: (as read)

12           With respect to the evidence for  
13           effectiveness of masking [this is on page 7],  
14           Dr. [REDACTED] states that in the absence of  
15           evidence for randomized controlled trials in  
16           meta-analyses ...

17   And then it continues on, and that's -- so that's what  
18   he's responding to, this idea of randomized controlled  
19   trials. So he admits it is correct that there are a  
20   few randomized controlled trials on masking, and  
21   there's none in the context of SARS-CoV-2 as -- so  
22   we're talking about a fundamentally different virus.  
23   Then he says: (as read)

24           There is an overwhelming burden of evidence  
25           from other studies showing the benefits of  
26           masking. Furthermore, it's not ethical to do

1           RCTs on masking given its significant  
2           benefit.

3       Well, we've just talked about, there's potential harms,  
4       potentially even in the context of symptomatic --  
5       asymptomatic people, maybe more harm than good. And it  
6       doesn't, for all the reasons I've explained, doesn't  
7       help spread SARS-CoV-2 by the aerosol route. So none  
8       of that fits into play here.

9           And then he goes on to give an analogy that  
10       this -- to say why the randomized controlled trials  
11       can't and should not be done with masking. He says  
12       this is like parachute-jumping out of an airplane. We  
13       wouldn't run a study right now, right, none of us would  
14       ask for a study to be run asking people to jump out of  
15       a plane with a control group that is not given a  
16       parachute, right, and to the test the idea that  
17       parachutes stop people from dying when jumping out of a  
18       plane.

19           Well, this is not a fair comparison whatsoever.  
20       Worse, he got upset about one of the other experts. He  
21       actually says here: (as read)

22           Notwithstanding the factual error on page 6,  
23           it is fallacious and unscientific to equate  
24           death rates by age in the context of a global  
25           pandemic with those of car accidents, with,  
26           at a minimum, it is a false dichotomy and

1           then [et cetera, et cetera].

2       So he was really upset with the use of an analogy to --  
3       due to car accidents with deaths caused by an  
4       infectious agent in the context of a pandemic but then  
5       goes on and uses his own completely, arguably even far  
6       more inappropriate, analogy to argue that RCTs have no  
7       role to play when it comes to considering the benefits  
8       of masking.

9           And what do I mean by this? It's intuitive, I  
10       agree, we're not going to run a study to determine  
11       whether jumping out of a plane without a parachute  
12       increases the risk of dying upon impact with the  
13       ground, and we don't have to. That experiment has  
14       naturally been run multiple times. If people -- if  
15       somebody jumps from a large height, if they want to  
16       commit suicide, they know they can jump from a large  
17       height. Anybody who falls, plunges to the ground from  
18       a large height will experience death. We've had people  
19       with parachutes jump out of planes, and the parachutes  
20       failed to deploy, and they've died. So this is not a  
21       comparison.

22           The equivalent with -- the RC with masking would  
23       be that we know that, in the control group, if they do  
24       not wear the mask, they are going to die. Yes, that  
25       would be unethical. We do not know that. In fact,  
26       we're debating that very fact and whether it's actually

1       doing anything to protect these people from harm. And  
2       so I would actually propose that the precise thing that  
3       we do need scientifically to sort this out and  
4       especially if we're going to force people to follow  
5       this rule, we need to run a randomized controlled trial  
6       and sort out the science once and for all.

7               So again, you know -- I mean, I'm not going to  
8       apologize for the long answer, it's a thorough answer,  
9       and so, no, this is not a clear path. And I'm sorry,  
10      Dr. ■ has not cornered the market on, you know, the  
11      fact that, you know, being be able to state that  
12      everybody knows this, and everybody agrees on this  
13      fact.

14    Q   MR. KITCHEN:                    Thank you, Dr. ■ that  
15       answers several other questions that I had.

16               Since we're in that area on his report, on page 5  
17      of your report in the last sentence of your section on  
18      asymptomatic transmission, you kind of make a summary  
19      statement, you say: (as read)

20               There is no substantial evidence to suggest  
21               that people who are asymptomatic represent a  
22               substantial risk of causing COVID-19 related  
23               hospitalizations or deaths in others.

24      Now, as you know, Dr. ■ takes issue with this issue on  
25      page 7 of his report. He says that you have no  
26      scientific evidence for this statement. He also says

1 the fact that you would make such a statement, quote,  
2 proves a lack of understanding of asymptomatic  
3 transmission and its deadly effects on the community.

4 I have a couple questions on this. My first one  
5 is do you think there's any scientific evidence to  
6 support this statement that you made?

7 A Okay, that I think I can answer quickly. People, first  
8 of all, can read page 5 of my report, see the citations  
9 that I have there, and then refer to everything that  
10 I've explained today.

11 I understand the science -- so again, with all due  
12 respect, when it comes to asymptomatic transmission,  
13 what we're talking about is we were talking about  
14 fundamental, hard core immunology -- or, sorry,  
15 virology at the interface with immunology. That is  
16 precisely my area of expertise. I'm a viral  
17 immunologist. This has nothing to do with public  
18 health or anything like -- it has public health  
19 implications, but the science behind this, this is how  
20 a host immune system interacts with a virus that  
21 dictates whether or not the outcome is going to be  
22 potential transmission and infection and causing  
23 disease in others. And I mean people can take my  
24 expert, you know, commentary or not. Like I said, I  
25 have the citations there, and I've talked at length  
26 about the science, the precise mechanisms governing

1     this.

2             And just so that you understand, I don't know if  
3     people can see, but I actually appreciate being asked  
4     the question, because I've got that very thing marked  
5     up, so I'm glad I actually got to talk about this,  
6     because, again, I have been called upon to review lots  
7     of literature, grant applications, scientific  
8     publications, right, manuscripts people want to publish  
9     in peer-reviewed journals. And sorry to be blunt here,  
10    but this -- this report from Dr. ■ was and --  
11    generally unprofessional, disrespectful in tone, very  
12    much highlighted here. That's why I have this actually  
13    underlined, because it's quite offensive. He uses  
14    language that is offensive, accusatory. He makes  
15    assumptions. He's hypocritical in areas of his report.  
16    And I can give examples of all of these so -- if I  
17    wish, and this is one of them. And he makes  
18    demonstrable -- you know, many claims that lack  
19    evidence, lacks citations or that are only backed up by  
20    hearsay evidence, and then makes these kind of  
21    statements, right, that as an expert in this area --  
22    and I'm sorry, but looking at the expertise, I am quite  
23    confident that I have deeper expertise in the area  
24    directly relevant to understanding asymptomatic  
25    transmission or lack thereof. And he's actually  
26    arguing that I am provide -- that I have no scientific

1 evidence. That is a lie. That is a lie. I provided  
2 the scientific evidence today. I have all these  
3 citations. I'm looking at page 5 of -- and I see all  
4 kinds of citations listed here and a description of the  
5 science. And he says this proves -- somehow this  
6 proves a lack of understanding. Like this means me,  
7 that I do not understand this.

8 This is unprofessional. I don't do -- write this  
9 way in any of my reports, so I'm sorry, this group  
10 needs to understand this. I have been involved in a  
11 lot of court proceedings. I have been involved in a  
12 lot of scientific proceedings. This is not a  
13 scientifically or medically acceptable document for  
14 interacting with other scientists or medical  
15 professionals, and this highlights it.

16 So thank you, because I didn't know if I'd have  
17 the opportunity to share with the group, but this  
18 statement is -- there's several others, and I'm not  
19 going to take the time, but if anybody has a question,  
20 I can prove what I just -- my overview of his report,  
21 but that is, certainly I had listed, as the most  
22 egregious statement against myself.

23 We have to respect one another as scientists and  
24 physicians. I do respect Dr. [REDACTED] perspective. Like I  
25 said, I agree with much of his science, and I've  
26 acknowledged the peer-reviewed publications that he's

1       used as valid, you know, acceptable scientific  
2       publications. I think we need to be very careful, and  
3       this stepped over the line, in my opinion, in terms  
4       professionalism in this kind of environment.

5     Q   Thank you, Dr. [REDACTED] I am almost done. I know this  
6       might be obvious, is there an important difference  
7       between correlation and causation?

8     A   Yeah, absolutely. A massive difference. The burden of  
9       proof is vastly higher for causations. Correlation can  
10      contribute to the overall determination of causation,  
11      but causation means that you know for sure that one  
12      thing influences the outcome of another thing, directly  
13      influences it, not, you know, has a direct impact on a  
14      certain outcome.

15                So, for example, we know that SARS-Coronavirus-2  
16      is the causative agent of the disease we call COVID-19.  
17      If somebody is not infected with SARS-Coronavirus-2,  
18      they will not get COVID-19, and if we infect them with  
19      a different virus, they will not get COVID-19. It's a  
20      causative agent, right? So it's a cause-and-effect  
21      relationship.

22                A correlation means that something trends in the  
23      same direction as something else, you know. And a  
24      classic example -- and so I talk about this quite a  
25      bit, because when I teach actually my immunology  
26      students, because it is important to understand the



1 difference, so, for example, when it comes to -- you  
2 know, one of the correlations that does -- that is  
3 related and does have some link through causation, as  
4 we get older, people tend to have a greater risk of  
5 getting cancer. And there's two reasons:  
6 Scientifically one is we get exposed to more potential  
7 mutagens that can cause cells to turn cancerous; also  
8 our immunological function declines, and our immune  
9 system is very good at controlling cancers, right? But  
10 there's many other things that correlate with age as  
11 well, right?

12 So I don't know -- for example, as you get older,  
13 there's also a greater use, on average, of dental  
14 implants, right, as people lose their teeth, but that's  
15 not a causation to have cancer, for example. So that  
16 would be an example of a correlation, right, somebody  
17 getting older, where if something gets -- as they get  
18 older, there's an event that happens more frequently  
19 among that population, but that event doesn't  
20 necessarily mean that it's the cause of another event  
21 that increases in frequency in that older population.  
22 So, yeah, there's a huge difference.

23 Q Dr. ■ stated in his report that, quote: (as read)

24 A very, very, very large number of health  
25 care workers in Italy contracted and died  
26 from COVID in early 2020.

1       He concluded that part of the reason that happened is  
2       because the Italian health care workers ran out of  
3       masks. Now, in your opinion, is there a causal link  
4       between masking and what happened to the Italian health  
5       care workers, or is there only a correlation link?

6     A   Do you have a page number for that so I can take a  
7       quick look?

8     Q   That I think was in his examination. It's not in his  
9       report, but I can --

10    A   Okay, I didn't recognize it --

11    Q   -- invite my friend to --

12    A   -- that's fine. So, yeah, I -- yeah, that's fine, I  
13       can comment on that. I heard the question.

14                So, no, that's clearly not. So, again, if -- in  
15       that case, when you're talking about a clinical  
16       scenario, a complicated clinical scenario where there's  
17       other things happened, so what I mean by this is it's  
18       very different from a lot of the, for example,  
19       preclinical experiments that I run. I can run  
20       experiments in very controlled environments.

21                So, for example, if I run a study in mice, these  
22       mice are all genetically identical. They are all the  
23       same sex. They are fed the same food. They're housed  
24       in the same environments. They -- and so we can divide  
25       them, and we can have one treatment differ between  
26       them, one thing. And so it's very easy then to

1 attribute an effect to that one thing because  
2 everything else is controlled.

3       So in the scenario that Dr. ■ was talking about,  
4 the only way that you could potentially allude strongly  
5 to causation is with a randomized controlled trial.  
6 That's the whole point. And so the reason it's so --  
7 what randomized controlled trials are is they take  
8 account for these real life settings. So in the real  
9 world, when you're dealing with a clinical scenario  
10 where you're talking about an outbred population,  
11 you're talking about males and females, you're talking  
12 about old and young, you're talking about different  
13 lifestyles, different historical exposures to  
14 pathogens, et cetera, et cetera, and, therefore,  
15 different immunological programming and -- you know,  
16 and you're dealing with a pathogen and different  
17 potential exposures to that pathogen across that  
18 population, you're talking about many, many  
19 uncontrolled variables.

20       So what a randomized controlled trial is you try  
21 to account for all those variables by getting those  
22 variables equally distributed as much as possible among  
23 the two groups. That's why it's called a randomized  
24 trial: You literally random -- you can take two  
25 people, they randomly get associated to either the test  
26 arm or the control arm. And the idea of it's



1           totally -- if it is truly random, then at the end of  
2           the day, both arms of your trial should have people  
3           that represent the whole -- all those variables that  
4           exist in the real world should be --

5           THE CHAIR:                   Dr. [REDACTED] could -- I'm  
6           not --

7    A    Yes.

8           THE CHAIR:                   -- sure that this is really  
9           relevant. Could we get back to the question, please?

10   A    Oh, yeah, well, it is relevant because this is the way  
11           that Dr. [REDACTED] could have made his conclusion and should  
12           have.

13                   And so with the relevant -- and so what I'm saying  
14           is with this randomized controlled trial, you equalize  
15           all those variables, it's very large because of all the  
16           variables, and then when you run those kind of studies,  
17           that is what allows you to draw strong conclusions  
18           about the potential causation of a variable, which, in  
19           this case, is masking.

20                   In the scenario that you just posed, there's no  
21           way causation could be attributed to masking. There  
22           were far too many uncontrolled variables that were not  
23           accounted for.

24   Q    MR. KITCHEN:                   I've only got one more  
25           question on this and then one final question, and then  
26           I'll be done.

1 Dr. ■ in his testimony, so in his questioning, he  
2 described the lockdown restrictions imposed in Alberta  
3 in November and December of 2020, so a little over a  
4 year ago now. He stated cases went up after the  
5 lockdown, but eventually later on cases went down. He  
6 then concluded that the lockdown did not cause the  
7 initial rise in cases, but that it did cause the  
8 eventual drop in cases. In your opinion, is this a  
9 logical or scientific conclusion?

10 A No. So actually he had the latter part of that  
11 argument in his report highlighting -- trying to  
12 highlight that these lockdown measures, including  
13 masking a key component, had contributed to the  
14 dramatic decline in cases.

15 So more recent history demonstrates that that is  
16 patently false, that that's just the reality. That was  
17 looking sort of -- taking a snapshot in time. So  
18 again, first of all, it's correlative at best.  
19 Secondly, I -- at least it was in the report. I didn't  
20 see any peer-reviewed scientific -- I didn't see any  
21 citations attributed to his comments there. That's one  
22 thing that I had noted. And further, it's one snapshot  
23 in time; it was looking at the tail end of one of major  
24 waves of the pandemic -- waves of positive test results  
25 for SARS-Coronavirus-2.

26 And what I would like to highlight is that since

1 he highlighted that snapshot in time, we have had a  
2 record-shattering wave of the Omicron variant, where  
3 all the historical stuff that was being I guess  
4 highlighted as the reason for that decline, right, it  
5 was still in place, coupled with the fact that the vast  
6 majority of people were then vaccinated to add  
7 additional -- an additional layer of protection, we had  
8 record-shattering cases of Omicron.

9 So clearly, like -- and so again -- and I mean,  
10 I'm a scientist and when I have the data, make certain  
11 statements when there's overstatements or things  
12 misstated. I don't think it's incorrect for me, as a  
13 scientist, to declare something like that as being  
14 patently false.

15 Q Thank you.

16 MR. KITCHEN Those are all my questions on  
17 direct examination. So, Mr. [REDACTED] I've managed --  
18 (INDISCERNIBLE) --

19 THE CHAIR: Mr. [REDACTED] (INDISCERNIBLE),  
20 would you like a few minutes?

21 MR. [REDACTED] I think, in fairness to Madam  
22 Court Reporter, we should take at least a 10-minute  
23 break. Again, I don't expect to be particularly long,  
24 but Mr. Kitchen may have some redirect, and I think we  
25 should take -- just take a 10-minute break if you're  
26 comfortable with that, Mr. Chair.

1 THE CHAIR: I'm fine with that. It's  
2 3:55, so we'll come back at 10 after 4. Thank you.  
3 (ADJOURNMENT)

4 THE CHAIR: Okay, I think we're all back,  
5 so Mr. Kitchen has completed his direct, and we'll ask  
6 Mr. [REDACTED] to continue.

7 MR. [REDACTED] Thank you, Mr. Chair.

8 Mr. [REDACTED] Cross-examines the Witness

9 Q MR. [REDACTED] Good afternoon, Dr. [REDACTED] I  
10 wanted to begin by saying that I was very displeased to  
11 hear your expert testimony on the effects of aging. I,  
12 however, will not use that to attack your credibility,  
13 I tend to agree with it, I have to admit, but,  
14 nonetheless, I thought that was something we should all  
15 not take into account in today's hearing.

16 I have a couple of clarification questions for  
17 you, Dr. [REDACTED] When I looked at your cv, and then I  
18 Googled you at the University of Guelph, I just want to  
19 be clear that your position is at the University of  
20 Guelph in the pathobiology department at the Ontario  
21 Veterinary College; is that accurate?

22 A That is accurate.

23 Q And that's part of the Doctor of Veterinary Medicine  
24 program; is that correct?

25 A Yes, that's correct, yeah, as alluded to before, a lot  
26 of my teaching is actually of the students enrolled in

1 the Doctor of Veterinary Medicine program.

2 Q Right.

3 A Yeah.

4 Q You had some discussions with Mr. Kitchen where you  
5 talked about what was occurring at Guelph University.  
6 Over the course of the pandemic, have there been any  
7 requirements at Guelph University for you as staff or  
8 perhaps students to mask if there's in-class settings  
9 or teaching?

10 A So just -- so, yes, just to clarify, not just students  
11 and staff but faculty as well. So actually I'm  
12 technically not a staff member. So just so people  
13 understand, yeah, there's three categories of people at  
14 the university: Faculty, who are the professors is  
15 what we're referred to; the staff -- we're represented  
16 by the University of Guelph Faculty Association is kind  
17 of the best way to distinguish; then there's our staff,  
18 and many of them are affiliated with fundamentally  
19 different unions; and then there's the student  
20 population.

21 But all three populations, yes, there have been  
22 masking policies that were implemented at the  
23 University of Guelph, yes.

24 Q And did you comply with those masking policies,  
25 Dr. [REDACTED]

26 A I did. I respect the law, and I respect rules, and so



1 even though I -- you know, what I've shared with you  
2 today, I respect those rules and adhere to them, yes.

3 Q I think you mentioned as well that when you went for a  
4 hair cut, you or the barber or the hairdresser had to  
5 wear masks, and that, I'm assuming, was because of the  
6 Chief Medical Officer of Health order or something like  
7 that; would that be correct?

8 A That is correct, yes.

9 Q So you observed that as well, that masking requirement,  
10 I should say?

11 A Oh, yes, I acknowledged that masking requirements have  
12 been implemented in many places, yes, including my  
13 public health area, yes.

14 Q Yeah, and more to the point, when you went to see the  
15 barber or to get a hair cut, you complied with those?

16 A I did so I'd get my hair cut, yes.

17 Q I think you were very fair in saying, Dr. [REDACTED] that  
18 there were I think some fairly significant areas where  
19 you and Dr. [REDACTED] were, I think you'd even said, a hundred  
20 percent in agreement, and I think that was in the  
21 context of masking and persons who are symptomatic and  
22 the benefits of masking. I think that's what you said  
23 anyhow.

24 I think, isn't it fair to say, that for a  
25 chiropractor, that person treating a patient can't  
26 definitively know whether the patient is symptomatic or

1 asymptomatic; would you agree with that?

2 A Well, okay, so from a technical -- from a technical  
3 standpoint, nobody can know without screening or asking  
4 whether somebody is symptomatic. So again, as I  
5 explained earlier, but I can explain again because it's  
6 a common area where people don't quite understand the  
7 distinction, so a sign is something that somebody  
8 external to the individual can identify, can use to  
9 identify that somebody is sick. A symptom is something  
10 that a person experiences that's associated with  
11 sickness.

12 So specific -- so nobody -- so, in other words, by  
13 definition, nobody upfront can identify whether  
14 somebody has a particular symptom, but you can identify  
15 if somebody has a particular sign. And again, so --  
16 and I can't comment beyond that in terms of  
17 chiropractors. I -- that's not my area of expertise.  
18 I'm not sure exactly how it works, but --

19 So, for example, in my field of expertise, that's  
20 why we've been using the prescreening, and again it's  
21 asking the questions. By asking the questions, if  
22 people have -- are experiencing any symptoms or showing  
23 any signs, then they are not to go in, you know, to the  
24 workplace, my workplace, for example. I can't comment  
25 on what happens in a chiropractor's office though.

26 Q Okay. I'm not going to take you through all the

1 exhibits that are in front of the hearing relating to  
2 mask mandates and mask requirements, but -- and I'll  
3 indulge -- hopefully my friend will indulge me a little  
4 bit, rather, I'll just tell you that there have been  
5 some exhibits from entities like Alberta Health  
6 Services and the Chief Medical Officer of Health in  
7 Alberta which set out mandatory masking and social  
8 distancing, and I'm talking about the typical blue  
9 medical masks, not N95s and things like that, and that  
10 you referred to Dr. Tam as well.

11 It's probably fair to say, isn't it, that you  
12 disagree with those type of mandates?

13 A In the context of asymptomatic individuals, yes. I  
14 agree with them in the context of symptomatic  
15 individuals for all the reasons that I've stated  
16 earlier.

17 Q I'm wondering -- and again you may not have had the  
18 chance to review this in detail, I'm not going to take  
19 you towards it -- but one of the key documents in this  
20 hearing is a Pandemic Directive that the College of  
21 Chiropractors created that, among other things,  
22 required social distancing and masking.

23 I'm assuming that, in your work, you do have  
24 contact with members of regulated professions, perhaps  
25 physicians, maybe lab techs, CLXTs, others. Are you  
26 familiar with generally the concept of self-regulation

1           for professionals?

2     A     Yes, I have, yeah, multiple clinical colleagues, so,  
3           yes, through them, I understand this to a certain  
4           degree.

5     Q     And I don't want to go into a lot of detail, but if you  
6           were to look at the Ontario Regulated Health  
7           Professions Act, which I understand is an omnibus  
8           legislation, it sets up a college like the College of  
9           Physicians and Surgeons, the CPSO, and is it your  
10          understanding that that organization sets up  
11          registration requirements for physicians that they have  
12          to meet before they can become registered as  
13          physicians?

14                 Sorry, you're muted.

15     A     So I -- honestly, I can't comment in much detail on  
16           that. I mean, I know that my clinical colleagues are  
17           licensed by a body, for example, in Ontario, like you  
18           said, like the College of Physician and Surgeons of  
19           Ontario, but the actual licensing process and the  
20           administrative structure and how that's managed, I --  
21           I'm sorry, I don't have the expertise to comment on  
22           that.

23     Q     Yeah, and fair enough. I didn't want to take you  
24           there; I was just trying to, you know, get your sense,  
25           I mean, in your work, that you're aware of the fact,  
26           for example, that a physician has to register with the

1 CPSO before they can practice as a physician.

2 Are you also generally aware that, again, a member  
3 of the CPSO has to have annual, continuing competence  
4 requirements, has to meet recordkeeping requirements,  
5 and those type of things established by the CPSO?

6 MR. KITCHEN: Mr. [REDACTED] look, we all know  
7 where you're going, and tomorrow I have a member of the  
8 CPSO up, and I'm not going to object. You're going to  
9 ask him these questions, I'm not going to object  
10 because he's a member of the CPSO. Dr. [REDACTED] --  
11 (AUDIO/VIDEO FEED LOST)

12 THE CHAIR: You've gone -- you're frozen,  
13 Mr. Kitchen.

14 MR. KITCHEN: -- have him talk about  
15 regulated members when he's not one.

16 MR. [REDACTED] Mr. Kitchen, you just froze  
17 there a bit, so I'm not going to proceed with that line  
18 of questioning then, that's fine.

19 Q MR. [REDACTED] In your -- as your job and in  
20 your area of expertise, I'm assuming you've looked at  
21 the Ontario equivalents to, broadly speaking, the  
22 Alberta Chief Medical Officer of Health masking and  
23 social distancing requirements; is that fair to say?

24 Oh, I think you're muted, sorry.

25 A It's not showing that -- can you hear me?

26 MR. KITCHEN Yeah.

1 Q MR. [REDACTED] Yeah.

2 A Okay, yeah, so I -- yes, yes, is my answer.

3 Q Would it, keeping in mind your comments to me about  
4 your visit to the barber and what happened at the  
5 university, your university in terms of the masking  
6 requirements, would you think that it's important to  
7 comply with CMOH orders?

8 A So could you clarify that question? What do you mean  
9 exactly, like in which context? I mean, if I want to  
10 get food from a grocery store to feed my family, of  
11 course, I think it's important to comply so that I can  
12 get food.

13 Do I think that I need to be masked in those  
14 scenarios? No. Do I take every opportunity to not  
15 wear my mask where it's allowed? Yes. You know, so  
16 I'm not quite clear. That's how I would answer that.  
17 Maybe a more specific form --

18 Q No, I was looking -- I'm sorry, I was looking to ask  
19 you some questions about the masking components of  
20 Medical Officer of Health orders, but I think you  
21 answered that before when we talked about the policies  
22 at the University of Guelph.

23 MR. [REDACTED] Those are all my questions for  
24 you, Dr. [REDACTED] Thank you very much.

25 A Okay, thank you.

26 Mr. Kitchen Re-examines the Witness

1 Q MR. KITCHEN: Dr. [REDACTED] I just have two  
2 questions in redirect. When you wear a mask because  
3 you have to to get groceries or work (INDISCERNIBLE),  
4 do you do so willingly or is it (INDISCERNIBLE)?

5 THE CHAIR: Mr. Kitchen, you're frozen,  
6 and you broke up with your question.

7 MR. KITCHEN Okay, I apologize, I'll ask it  
8 again.

9 A I did -- I heard the question, but did the rest of the  
10 members would like -- would you like them repeated?

11 MR. KITCHEN No, [REDACTED] didn't hear it,  
12 so I'll have to ask it again. I apologize.

13 Q MR. KITCHEN: When you wear the mask, you  
14 just referred to wearing it to do groceries, you  
15 referred to wearing it at work, at the University of  
16 Guelph; when you wear it, do you wear it against your  
17 will?

18 A 100 percent, yes.

19 Q Do you think the prescreening questions that are pretty  
20 typical in your office and would be typical in  
21 Dr. Wall's office and any other chiropractor's office,  
22 do you think those questions are pretty effective at  
23 keeping symptomatic people out of the offices?

24 MR. [REDACTED] Mr. Kitchen, I'm going to have  
25 to object to that because Dr. [REDACTED] has already said  
26 he knows nothing about chiropractic clinics, so I

1       really don't think he can answer that question, at  
2       least --

3       MR. KITCHEN                               Okay.

4       MR. [REDACTED]                           -- the second part of your  
5       question anyhow.

6       MR. KITCHEN:                           Point taken.

7   Q   MR. KITCHEN:                       Dr. [REDACTED] let me ask you it  
8       this way: You have -- you said you have prescreening  
9       questions for your laboratory; do you think those  
10      prescreening questions are effective at keeping  
11      symptomatic people away from the laboratory?

12   A   Yes, absolutely. So as I explained, symptoms are  
13      something that somebody experiences, and the only way  
14      to understand whether somebody's experiencing them is  
15      to ask questions.

16               So, for example, if you go to a physician, that's  
17      what they're designed to do, there are certain signs  
18      they can look for. So a sign, again, would be  
19      something -- so, example, when they take your  
20      temperature, they're looking for evidence of fever.  
21      That's something they can objectively assess  
22      themselves. You don't have to tell them that you have  
23      a fever, and then that's something that's a sign -- or,  
24      sorry, a -- yeah, a sign, therefore, of sickness.

25               Symptoms -- and symptoms can precede, can precede  
26      a lot of the signs. So that's the best way to actually



1 screen is for symptoms, which is something somebody is  
2 experiencing and an objective third party cannot  
3 directly observe. So the only way to get that out,  
4 whether you go to a physician or anything else is by  
5 asking the relevant questions.

6 And the -- so, for example, so the one that's used  
7 for my workplace was designed in consultation with  
8 physicians, who are experts at asking the relevant  
9 questions about symptomology, to assess whether  
10 somebody is sick -- and in my experience, that has been  
11 very effective. For the first time since those  
12 questions were implemented at the university, and it's  
13 the first time in the history of my laboratory that I  
14 have consistently not seen, not even once, one of my  
15 lab members come into work sick, whereas it was a  
16 relatively common occurrence prior to that.

17 Q Is there any logical reason to think that if Dr. Wall  
18 was to ask the same questions of his patients that it  
19 would be any less effective for him than it is for you?

20 MR. [REDACTED] I'm going to object to that  
21 too, Mr. Kitchen; it's just beyond his scope.

22 MR. KITCHEN: I disagree. I think it's  
23 perfectly legitimate. The way I asked it was is there  
24 any logical reason to think it would be any different,  
25 so that's not a scope question.

26 MR. [REDACTED] I don't think Dr. [REDACTED] can

1 even comment on whether it's logical or not when he  
2 doesn't know what happens in a chiropractic office or  
3 what the specific requirements were for any screening  
4 that Dr. Wall carried out. I just think it's too far  
5 afield of what he can comment on.

6 MR. KITCHEN: Well, Chair, I put it to you;  
7 I think it's a perfectly legitimate question.

8 THE CHAIR: Okay, we will caucus and get  
9 back to you as quickly as we can.

10 (ADJOURNMENT)

11 THE CHAIR: The Hearing Tribunal has  
12 discussed the matter, and we've decided to allow the  
13 question.

14 Q MR. KITCHEN: So, Dr. [REDACTED] I'll just  
15 re-phrase it -- or not re-phrase it, re-ask it.

16 Is there any logical reason to think that if  
17 Dr. Wall, in his chiropractic office was using the same  
18 questions that you've been using that he would have  
19 different results?

20 A There would be no reason to expect different results.  
21 The expectation, what we were expected to do with ours  
22 is make sure -- let's put it this way: As long as the  
23 questions are comprehensive enough and thorough enough  
24 that a -- the average physician would be able to make a  
25 reasonable assessment as to whether or not somebody is  
26 or is not infected, that that's going to be an

1 appropriate questionnaire.

2 And just I guess maybe to help for you to  
3 interpret, one of the things that the -- well, yeah,  
4 let's just leave it at that. That's ultimately the  
5 litmus test: Physicians are the experts at diagnosing  
6 disease, and if they've designed a questionnaire that  
7 would allow them to get the same information that they  
8 would out of the individual, should they be a patient  
9 in their office, and they're screening for disease,  
10 yes, that questionnaire would be university applicable  
11 irrespective of the environment.

12 Q And my friend can object to this if he wants, but would  
13 you agree with me that those are administrative  
14 controls; is that an appropriate term to call those?

15 A Yes.

16 MR. KITCHEN: Those are my questions on  
17 redirect.

18 THE CHAIR: Okay, thank you, Mr. Kitchen.  
19 I think we'll just take a few brief minutes for a break  
20 just to see if the Panel has any questions for  
21 Dr. [REDACTED] so we'll be back with you as quickly as we  
22 can. If you could put us in our break-out, thank you.

23 MR. KITCHEN Thank you.

24 (ADJOURNMENT)

25 THE CHAIR: Okay, I think we're all back.  
26 Thank you for your patience.

1 Dr. [REDACTED] does have one question she would like  
2 to ask Dr. [REDACTED]

3 The Tribunal Questions the Witness

4 Q DR. [REDACTED] Hi, Dr. [REDACTED] Just  
5 regarding the IFR, you commented that in 2019, there  
6 was a prediction that the -- that there could be as  
7 much as 10 percent with regards to COVID-19 in terms of  
8 those who are infectious who get the disease, right?  
9 And then you mentioned, in early 2021, studies had  
10 shown that it was about .15 percent, and now even less.  
11 So I'm curious to know if there's any research or  
12 studies or -- to the best of your knowledge, if you  
13 knew that there was any percentage given in the time  
14 frame that we're concerned about, which would be from  
15 May to December 2020.

16 A Yeah, in that -- so that study that I cited in my  
17 report includes that time frame. So it would include  
18 everything from -- I was assessing everything from the  
19 beginning up until -- so the very earliest that it  
20 would have included data, and I'm not even certain --  
21 I'd have to go back, and I have -- and double-check,  
22 but the earliest would have been, you know, like maybe  
23 January 2021, but the data would have been all from the  
24 start of the declared pandemic up until the end of  
25 December for sure.

26 It wouldn't have anything much newer than that,

1 because the way publications work, the publication  
2 process, just so you can understand the timing  
3 therefore, is normally what happens is when we have a  
4 manuscript ready, we submit it to a journal. And then  
5 what will happen is an editor will be assigned, then  
6 they'll try and recruit reviewers. Once they've  
7 identified reviewers for it, that paper gets sent to  
8 the reviewers. So there's a review process.

9 Normally reviewer -- so that process -- that  
10 process right there often takes a week, and then the  
11 review process always takes a minimum of two weeks,  
12 depends on the journal. Some like report back in two  
13 weeks, some three weeks, and sometimes they don't get  
14 them back when requested from reviewers, and they have  
15 to solicit them and try to remind the reviewers to get  
16 it in.

17 But so the point is, ideally then, they're going  
18 to get those initial reports after one month from the  
19 initial submission, and almost always, it's very, very  
20 rare for a manuscript to be accepted immediately with  
21 no revisions. So almost always, if a manuscript is  
22 going to be accepted, it is with revisions, and then,  
23 depending on how much revision they feel is necessary,  
24 that's going to dictate the -- dictate the time the  
25 authors have to go back and revise their manuscript.  
26 So for example, if they had to generate new data or run

1 new experiments, it's going to be -- it could be months  
2 they're given.

3 But for an article like this though, it would  
4 usually be a matter of weeks, and then that revised  
5 version goes back, and then, often, their reviewers  
6 have one final review, and then if they're satisfied  
7 with the changes, they'll approve it, the manuscript  
8 will be accepted. And then, at that point, it's called  
9 what we call in press, and then a short time thereafter  
10 it will be published. So --

11 Q So, sorry, so just -- so the question then, it was  
12 released or -- in some capacity in 2021. It --

13 A Exactly.

14 Q -- was based on the information from 2020 --

15 A Exactly because --

16 Q -- so the --

17 A -- even though it was several months into 2021, the  
18 data that they would have had available when they first  
19 submitted it would have been for -- mainly from that  
20 duration you're talking about.

21 Q Sure. So in the latter stages of 2020, would we have  
22 had -- would you or the population or whatever have any  
23 idea that 10 percent wasn't the number that we were  
24 looking at in the middle of 2020?

25 A Yes, yes. Yeah, that was very quickly obvious. So,  
26 again, what I mentioned is it wasn't a prediction that

1 the infection fatality rate would be 1 to 10 percent;  
2 it was that initial like immediate concern that it  
3 could potentially be that. It wasn't like any kind of  
4 modelling was done. This was high profile public  
5 health officials, like Fauci, like Theresa Tam,  
6 expressing this potential concern, but we very  
7 quickly -- it didn't take much time before we knew, we  
8 really started to narrow down the high-risk  
9 demographics.

10 And so we knew very early on, again, that the  
11 highest risk demographics were the frail elderly, those  
12 who are immunosuppressed, those who are obese, and  
13 those who have multiple comorbidities. And for the  
14 rest of the people, we knew, so very earlier on, that  
15 the risk of fatality from infection from this  
16 particular virus was quite low, yes.

17 DR. [REDACTED] Thank you.

18 A No problem.

19 MR. KITCHEN: I'm going to ask for  
20 permission to ask a follow-up question.

21 THE CHAIR: Okay.

22 Mr. Kitchen Re-examines the Witness

23 MR. KITCHEN: And I'll give you the  
24 question, and then you can let me know if you're okay  
25 with it.

26 Q MR. KITCHEN: Dr. [REDACTED] what do you mean

1 by "very early", right? Because it came in March 2020.  
2 So the Pandemic Directive came out in May of 2020, so  
3 it's important that we know what you mean by what's  
4 "very early", that we knew it wasn't going to be as  
5 high as 1 percent.

6 MR. KITCHEN And, Chair, is that okay that  
7 he answers that?

8 THE CHAIR: Mr. [REDACTED] do you have any  
9 objection?

10 MR. [REDACTED] I don't object.

11 A Yeah, so that's a good question. It was prior to the  
12 implementation of the policies that we knew that, in  
13 the low-risk demographics, it wasn't going to be  
14 anywhere close to 1 percent infection fatality rate.  
15 So prior to May, right? The virus was first identified  
16 in late 2019. It was only -- it only took a couple of  
17 months to start identifying that this was -- so  
18 basically what we refer to this as is this is a  
19 virus -- we talk a lot about discrimination, you don't  
20 want discrimination -- but this is a virus that very  
21 much discriminates. And we knew that within a couple  
22 of months, meaning, a potentially, a very dangerous  
23 virus that would have a high infection fatality rate,  
24 would indiscriminately kill people.

25 This virus is very discriminatory. We knew within  
26 a couple of months of the -- when it was -- after the



1 virus was first identified. So by "very early", I mean  
2 like by January, by the end of January 2020, we already  
3 had a good idea that there was a limited number of  
4 demographics that were at particularly high risk from  
5 this virus.

6 THE CHAIR: I think we should leave it at  
7 that. We're talking in generalities now.

8 MR. KITCHEN: I'm going to ask for  
9 permission for one more question.

10 Q MR. KITCHEN: Because I want to -- I want  
11 you to be able to answer Dr. Aldcorn's question.

12 At what month in 2020 did scientists know that the  
13 IFR was going to be below 1 percent?

14 MR. [REDACTED] Mr. Kitchen, I'm going to have  
15 to -- I don't want to be difficult here, but that is a  
16 very vague question. When we say scientists knew,  
17 which scientists, when, how did they know? I think  
18 we've explored this a little bit, but I'm reluctant to  
19 let it go much further than that, because it's just a  
20 broad topic to begin that -- and, of course, in  
21 fairness to Dr. [REDACTED] he can't speak to what other  
22 people thought.

23 So I think my request to you is that you've  
24 explored this enough, and I think you shouldn't go any  
25 further, and I hope you're comfortable with that.

26 MR. KITCHEN: I'm going to ask Dr. [REDACTED] --

1 Q MR. KITCHEN: -- when did you know?

2 A I was quite confident that -- about that by the end of  
3 January 2020.

4 MR. KITCHEN: And I'll leave it there. I  
5 think that was helpful for answering everybody's  
6 questions.

7 THE CHAIR: Okay, I think that brings  
8 today to a conclusion. We'll being back at 9:00  
9 tomorrow morning. Mr. Kitchen, you can discharge your  
10 witness, and thank you very much, Dr. [REDACTED] for a  
11 very long and informative day.

12 A Thank you. Take care.

13 THE CHAIR: So we're back on at 9 with  
14 your witness tomorrow morning, Mr. Kitchen, that's  
15 correct?

16 MR. KITCHEN: That's right.

17 THE CHAIR: Okay. Very good, well, we  
18 will recess until tomorrow morning. Thanks everybody,  
19 and we'll see you then.

20

21 PROCEEDINGS ADJOURNED UNTIL 9:00 AM, JANUARY 29, 2022

22

23

24

25

26

1 CERTIFICATE OF TRANSCRIPT:

2

3 I, [REDACTED] certify that the foregoing  
4 pages are a complete and accurate transcript of the  
5 proceedings, taken down by me in shorthand and  
6 transcribed from my shorthand notes to the best of my  
7 skill and ability.

8 Dated at the City of Calgary, Province of Alberta,  
9 this 21st day of February, 2022.

10

11

14 [REDACTED] CSR (A)

15 Official Court Reporter

16

17

18

19

20

21

22

23

24

25

26